1 THE COURT: Fine. 2 Thank you, Detective. MR. SCHEININ: THE COURT: Any other questions? 3 4 MS. BROBST: No, nothing further from the State, Your 5 Honor. (WHEREUPON, the witness was excused.) 6 7 MR. LAZZARO: The State would next call William 2 2 8 Heilman, Your Honor. 9 William Heilman, 10 was called as a witness on behalf of the State, and after first 11 having been sworn, was examined and testified as follows: 12 THE CLERK: State your name and address for the record. 13 THE WITNESS: William Heilman, the third. H E I L M A I'm a special agent employed by the Federal Bureau of 14 15 Investigation currently assigned to the FBI Laboratory, 16 Washington, D C. 17 DIRECT EXAMINATION. 18 MR. LAZZARO: 19 Q Agent Heilman, what is your expertise at the FBI 20 Laboratory in Washington D C? 21 Α I'm an examiner of questioned documents. 22 Okay. Does that include comparing and identifying Q 23 footprints? Α Yes, sir it does. 24 Okay. Would you please tell the Court and the members 25 Q

·**建建设建设的**是是有效的,这个人,这个人,不是不是一个人的,是不是一个人的,不是不是不是一个人的,不是一个人的,不是一个人的。

training have you had for shoe identification? 1 2 Α I attended an one week seminar given at Quantico, 3 Virginia, which included all or a number of examiner's from across the country regarding specifically shoe print and tire 4 tread identifications. 5 How many people were present at that seminar? 6 Q 7 Α Approximately 30. 2 8 What other training? Q As I mentioned earlier, that we work basically in an 9 Α 10 apprentice type situation in which you work with other 11 examiner's. 12 Q Have you ever failed to qualify in any court of law? 13 Α No. 14 This is the first time they have ever brought you in 15 any court? 16 I have generally testified in the area of Α handwriting or hand printing or typewritten other document-type 17 examinations. 18 19 Q When did you make the change from handwriting to footprint? 20 I didn't make the change. That's in conjunction with 21 my other duties. This just happened to be the first court case 22 23 in which I have been called. 24 Are you familiar with plaster impressions of 25. footprints?

- 大学の大学を表示しています。 1975年の中央には、1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年の1975年

Ιf

else. He's not aware of the proper procedure for taking the footprint that he is comparing, specifically photographs and plaster impressions, which are the two issues in this case. he is unable to say that the photograph was taken improperly and is based on assuming it is taken properly because he says he don't know how to make that determination, then his conclusion must be wrong, and to allow this man to testify before the jury to say that similarities exist in footprint on the victim's body and the shoe in court here based on photographic identification would be based on erroneous facts and would definitely prejudice the defendant in the eyes of the jury and give this man's testimony weight that he does not deserve.

The state of the s

1

2

3

4

5

6

7

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

· - 8

THE COURT: Does it have to do with the --

MR. LAZZARO: I would like to respond if I could.

THE COURT: I don't know that it is necessary. reason I say that is because it is not how his testimony is to That is one thing. Whether he's qualified to give be weighed. an opinion is another thing. His training is standard reading of literature plus extensive training and 50 cases involving hundreds of comparisons of prints. That is what I think qualifies him to testify. What he testifies to is subject to your attack, so I'll accept him as the expert.

MR. LAZZARO: Thank you, Your Honor.

(WHEREUPON, proceedings resumed before the jury.)

I have no further questions. Thank you. MR. SCHEININ:

laboratory. He was the principal examiner in the case, and I

THE COURT: Overruled.

and the second of the second

1 THE WITNESS: In this particular case I inked the bottom of these shoes, and I stepped down on a piece of tissue 2 paper, which produced a pattern (indicating), and I overlaid 3 that particular tissue paper impression, test impression over 4 5 the photograph to attempt to determine size, design, any 6 identifying characteristics, general wear. That was done just 7 with this particular test impression that I have here and ÷ 8 photograph (indicating.) 9 Q What if any findings but did you make with respect to that? 10 11 MR. SCHEININ: Objection. 12 THE COURT: Overruled. 13 THE WITNESS: I was unable to determine general wear, 14 size of the shoe, or I was unable to find any specific 15 identifying features. I did find a limited correspondence in 16 design with a portion of the questioned shoes. Okay. Now, the photograph of the footprint that you 17 have, was that a complete footprint? Was it a whole shoe 18 19 footprint the photograph? 20 Α The photograph depicts the impression on the victim's 21 neck. 22 Okay. Was that -- you are looking at it right now. 23 Was that a whole footprint? 24 MR. SCHEININ: Objection. 25 THE COURT: Overruled.

erk destruktionskriver statum in statut om prosestation of himporer in responsible of the responsible resistance in

1 Objection. How does he know that it's MR. SCHEININ: from the victim's neck, Your Honor? It's a conclusion. 2 3 THE COURT: If you want to qualify that first. 4 MR. LAZZARO: 5 Well, did you look at the photographs? You received Q 6 several photographs didn't you Mr. Heilman? 7 Α Yes. ± 3 8 Q And what did the photographs depict? 9 They depicted shoe impressions on a body. Α 10 Okay. And could you tell from looking at the photographs whether you were looking at the front part of the 11 12 body or the back of the body? 13 Well, the particular photograph I'm looking at now was 14 taken of the neck area. 15 Q Of the neck area of the body. 16 MR. SCHEININ: Objection. THE COURT: How did you come by those photographs 17 18 or that photograph? 19 THE WITNESS: They were supplied to me by the Medical 20 Examiner's Office along with not only this one particular photograph but numerous photographs of the entire body as well 21 22 as various portions. THE COURT: From the Medical Examiner of Baltimore City 23 24 or the State. 25 THE WITNESS: Yes.

1 THE COURT: All right. 2 MR. LAZZARO: 3 Now, Detective, or excuse me, Agent Heilman, you had 4 occasion to prepare a blow-up for court purposes; is that 5 correct? Yes. 6 A 7 Okay. With Honor's permission, if you would come down § 2 8 and explain what this is to the members of the jury. (WHEREUPON, the witness left the stand.) 9 10 MR. SCHEININ: Your Honor, I have to enter an objection 11 because the testimony is that the Medical Examiner took a 12 Polaroid, and obviously the pictures he has there are not 13 Polaroid. 14 THE COURT: Overruled. 15 Thank you. MR. SCHEININ: 16 MR. LAZZARO: Would you identify this please Mr. Heilman and tell us 17 18 what it is? Well, known shoe portion of the chart is an 19 20 approximately 4 times enlargement of the bottom of the right Jox 21 brand tennis shoe I identified as Q 34. The test impression was 22 a 4 time photographic enlargement of this particular test impression, which was acheived by incorporating the bottom of 23 the shoe and stepping down on the ground on the tissue paper to 24 25 produce this particular impression. (indicating)

建氯磺胺甲烷 网络沙丘 一工工,这个工程,是不是有一种的联络大学,我们也不能够被把某个生物的时候,我们也不能够的,但他就是这个事情的,我们就是这种的人,不是

The questioned portion on the chart on the left represents about a 4 time photographic enlargement of the impression appearing on the body of the photographs that I received from the Medical Examiner's Office.

Q Would you please explain to the members of the jury how you ascertained that there were some similar design characteristics?

MR. SCHEININ: Objection.

MR. LAZZARO: Between the known.

THE COURT: Overruled.

MR. LAZZARO:

- 8

Q Shoe impression and the questioned one?

A As I explained, I made the test impression for the purpose of overlaying the two dimensional photograph. The actual impression was made on a tissued surface, round, curved very elastic in nature, but what I was supplied is a two dimensional photograph, so I took the test impression and overlaid it over the questioned impression and tried to determine if the design did in fact correspond.

Because of the limited nature of the questioned impression I couldn't determine overall size of the shoe that made that impression. Because of the nature of the skin and how the impression was made it actually is not an impression like an inked impression. The questioned impression is really a contusion of bringing up blood to the surface of the skin really

that does not reproduce the mark that apears on the bottom of the shoe like the questioned impression of those non-printing areas in various portions of the test impression that was a hard surface, so I was unable to identify any specific identifying features within this questioned pattern, nor was I able to determine, as I said, size of the shoe or general wear characteristics.

I was able to through this overlay technique able to identify a specific area from both shoes, Q 34, and I believe that one was Q 33, which did in fact correspond were in this herringbone pattern, which did correspond to a particular area on the questioned shoes.

Q Okay. Thank you.

\$ 8

Q Your Honor, I would move to introduce this exhibit prepared by Mr. Heilman as State's Exhibit 19.

MR. SCHEININ: Objection.

THE COURT: Overruled. States 19.

(WHEREUPON, the above referred to evidence was marked as State's Exhibit 19.)

MR. LAZZARO:

Q Mr. Heilman, because of the difficulty in comparing what you just testified to, comparing footprints made on a body with those of a known sample, have you ever made any identifications based upon a footprint that was obtained from a body?

1 MR. SCHEININ: Objection. 2 THE COURT: Overruled. 3 THE WITNESS: No. MR. LAZZARO: 4 5 Q Thank you, Mr. Heilman. Witness with you. 6 THE COURT: Before you begin, let me clarify something. The photograph which you have you say was made by someone from 7 <u>.</u> 2 8 another photograph? THE WITNESS: This particular photograph I have was a 9 10 photograph that our laboratory made from one supplied by the 11 Medical Examiner. 12 THE COURT: Did you see the one supplied by the Medical 13 Examiner? 14 THE WITNESS: I have not seen that one here today. 15 THE COURT: But? 16 THE WITNESS: Oh, yes. 17 THE COURT: You had seen it? 18 THE WITNESS: Yes. 19 THE COURT: And can you say whether that photograph 20 which you have is in fact the same photograph, that is of the 21 same subject matter that was contained in the one obtained from the Medical Examiner's Office? 22 23 THE WITNESS: The one I examined from the Medical 24 Office will have my initials on the back and be identified as Q 66. 25

1 THE COURT: Okay. 2 THE WITNESS: Our are Q number. 3 THE COURT: Thank you. 4 CROSS-EXAMINATION. 5 MR. SCHEININ: 6 Mr. Heilman, was the impression that was made on the Q 7 body, was that made by a left-hand shoe or right-hand shoe or · 8 left foot or right foot? 9 Ά I don't know. 10 Is the impression that was on the body, was it made by, well, first, was the impression made on the body made by one 11 12 of those shoes? 13 Α I don't know. 14 Q I would renew my objection to disallow this into 15 evidence. 16 THE COURT: It's already in. It doesn't make any difference. 17 18 MR. SCHEININ: 19 I understand. Why do you have these little red marks Q 20 here Mr. Heilman? What are they supposed to be for? 21 Those are simply delineating the areas which correspond 22 to the questioned impression. 23 But you are unable to identify them? Q 24 Α I was not able to identify that, that's correct. 25 Now, Jox is a very popular shoe is it not? Q

1 It's a very common shoe, yes. Hundred thousand of them being made every year you 2 Q 3 would think? 4 I would imagine. Α And they all, this type of shoe would all have the same 5 6 tread design? Well, I don't know all the designs Jox issues but there 7 2 8 F would be --9 Q They don't make? 10 Several hundred thousand of that design. Α And you are not able to tell, if there are several 11 hundred thousand Jox shoes, which one made the impression on 12 13 Dawn Hamilton are you not. 14 THE COURT: How did we ever get to agree there were 15 several hundred thousand? Do you know how many they make? 16 MR. SCHEININ: That was his figure. 17 THE COURT: You pulled it out. Do you know how many 18 they make? 19 THE WITNESS: No, I don't. 20 THE COURT: All right. 21 THE WITNESS: As a layman I would quess. 22 MR. SCHEININ: No further questions. Thank you. 23 Nothing further, Your Honor. 24 THE COURT: Thank you, sir. 25. (WHEREUPON, the witness was excused.)