

1 THE WITNESS: Thank you.

2 MR. THAGGARD: At this point, Your Honor, I'd  
3 like to call Arnold Melnikoff. If we may, Your Honor, if  
4 we could possibly take a ten-minute recess so that we  
5 could set up the screen.

6 THE COURT: We will take a ten-minute recess.  
7 There's a message, here, for Mr. Ainsberg. We'll take a  
8 ten or fifteen minute recess.

9 Once again, the same admonishment. I'll give you a  
10 different one when the trial's over.

11 (Whereupon, a brief recess was taken from  
12 approximately 10:50 a.m. to approximately 11:10 a.m.)

13 THE COURT: Will the parties stipulate that the  
14 jurors are all present?

15 MR. THAGGARD: So stipulated.

16 MR. MALTESE: So stipulated, Your Honor.

17 THE COURT: The record will so show. Call your  
18 next witness.

19

20 Whereupon,

21 ARNOLD MELNIKOFF,  
22 called as a witness, having been first duly sworn, was  
23 examined and testified as follows?

24 DIRECT EXAMINATION

25 BY MR. THAGGARD:

1 Q Will you state your name for the Court, please?

2 A Arnold Melnikoff.

3 Q Where do you currently reside?

4 A I reside in Longview, Washington, at the address  
5 of 138 Inglewood Drive.

6 Q And do you have a job there?

7 A Yes, I do.

8 Q Where do you work?

9 A I work at the Kelso, Washington, State Patrol  
10 Crime Laboratory.

11 Q And what is your job there?

12 A I'm a forensic scientist at that laboratory.

13 Q And will you briefly describe the nature of your  
14 duties?

15 A At that laboratory at the present time my major  
16 function is the analysis of street drug samples.

17 Q How long have you held your current position?

18 A Since the 18th of September of 1989.

19 Q Where was your last job?

20 A Before that I worked at the Montana Crime  
21 Laboratory.

22 Q And how long did you work at the Montana Crime  
23 Laboratory?

24 A I started in July of 1970, so, and left in  
25 August, so it was over nineteen years.

1 Q And what was your position there?

2 A I was a lab manager and forensic scientist at the  
3 Laboratory.

4 Q What is your formal education in this area of  
5 forensic medicine?

6 A I have a Bachelor's Degree in biology from  
7 Northern Illinois University and a Master's Degree in  
8 chemistry from the University of Montana.

9 Q In writing a Master's Degree, did you prepare a  
10 thesis?

11 A Yes.

12 Q What was the subject of your thesis?

13 A Ah, it was isolation of natural products or  
14 naturally-occurring chemicals in sagebrush.

15 Q Have you taken other education or job training  
16 courses?

17 A Yes.

18 Q And would you please tell us what those are?

19 A I took a course in hair identification from the  
20 FBI Crime Laboratory in Washington, D.C.; a course in  
21 forensic microscopy from the MacCrone Institute which is  
22 located in Chicago, Illinois; a course in forensic  
23 geology, a short course, at the University of Montana;  
24 several courses from the Pharmacy School, University of  
25 Montana, one in identification of plant materials

1 containing drugs and another one in toxicology, which is  
2 the study of poisonous substances.

3 Q Are you a member of any professional forensic  
4 organizations?

5 A Yes.

6 Q What are those organizations?

7 A I'm a member of the Northwest Association of  
8 Forensic Scientists, and I was a member of the American  
9 Society of Crime Laboratory Directors, but I had resigned  
10 that membership since I transferred jobs.

11 Q Have you presented any paper topics on the  
12 subject of forensic medicine?

13 A Ah, forensic science not medicine.

14 Q Science, excuse me.

15 A Okay. Yes, I have.

16 Q And could you tell us what they are, please?

17 A Several papers concerning gastrometography to  
18 identify fire accelerants in arson cases, a case on a  
19 marker for paint samples, excuse me, a method for marker  
20 or paint samples, a method involving an attachment for  
21 the infra-red spectrometer we use in forensic cases,  
22 paper on the characteristics of primate hair.

23 Q Have you received any merit awards?

24 A Yes, I did receive one at one -- quite a few  
25 years ago from the Air Force for some work I did for them

1 in cases at Nelstrom Air Force Base.

2 Q Have you ever testified as an expert witness on  
3 hair and fiber examinations?

4 A Yes.

5 Q And where have you done that?

6 A Over sixty times in the state of Montana,  
7 including a previous case in Richland County.

8 Q Have you testified in Federal Court?

9 A Yes, several times.

10 Q While you were previously employed at the Crime  
11 Lab, did you have occasion to examine any of the evidence  
12 which will be presented here today?

13 A Yes, I did.

14 Q And what kind of evidence did you examine?

15 A I examined victim and suspect rape kits that  
16 contained hair standards and combings, clothing and  
17 bedding from the scene of this crime that we're hearing  
18 today, and vacuumings, also, from the location of the  
19 scene of the crime.

20 Q I'm handing you what is marked as State's Exhibit  
21 4. Have you ever seen this before?

22 A Yes, I have.

23 Q What is it?

24 A It's the rape kit that was sent in from the  
25 victim involved in this case, Kristin Bergh, and I

1 removed hair samples from this rape kit. And when I  
2 finished it I put a seal on it that's still on the  
3 package.

4 Q And what did you do with those hair samples which  
5 you took?

6 A I made microscopic slides out of the hair that I  
7 removed from this rape kit.

8 Q And were any pictures taken of those slides?

9 A Yes, there were.

10 Q Okay. Now, what did you do when you were done  
11 with this kit and had taken the slides and pictures of  
12 those slides?

13 A All evidence is returned to Julie Long, another  
14 forensic scientists in the Montana Lab for further -- she  
15 does serological examinations, and then when she was  
16 done with it, she returned it to the Police Department in  
17 Sidney.

18 Q While this was in your possession, where did you  
19 keep it?

20 A Well, it's kept in a locked -- in a refrigerator  
21 in a laboratory seal, and then it was maintained by the  
22 evidence technician who never opens it. I was the one  
23 who opened it, resealed it, and it was put back into  
24 storage until Julie Long could examine it, and she broke  
25 the seal after that.

1 Q And did you seal it when you gave it to Julie?

2 A Yeah, it was sealed.

3 Q And when you first received it, was it sealed?

4 A Yes, I'm the one who opened it.

5 MR. THAGGARD: Your Honor, I move for  
6 conditional admission.

7 THE COURT: Any objection?

8 MR. MALTESE: Foundation.

9 THE COURT: Is Julie Long going to testify?

10 MR. THAGGARD: Yes, she'll be next up, Your  
11 Honor.

12 THE COURT: It's admitted for identification  
13 subject to further foundation.

14 Q I'm now handing you what is marked as State's  
15 Exhibit 5, and have you ever seen this before?

16 A Yes, I have.

17 Q And what is it?

18 A It's vacuumings from the bathroom of the victim's  
19 residence and, again, besides information on the package,  
20 I put a seal with my lab number, date and time I sealed  
21 it on the package, and it's still sealed as I sealed it.

22 Q And when did you receive this?

23 A Ah, I believe it was received on February 2nd,  
24 1989, by certified mail.

25 Q Did you ever keep any records of when you

1 received these items?

2 A Yes.

3 Q And I'll use these records to refresh your  
4 recollection. Are you aware of the document which I'm  
5 handing you now?

6 A Yes, I am.

7 Q And what is it?

8 A Well, it's the actual chain of evidence recording  
9 the submission of the evidence to our laboratory.

10 Q And does it state, then, when you received ----

11 A Yes, I'm in error. It was actually received,  
12 well, it says here 2/10/89.

13 Q February 10th?

14 A Yeah, right. So I guess what I said was correct.

15 Q All right. And what did you do with this?

16 A Ah, I opened it up, removed hairs that were  
17 present in the vacuuming for microscopic examination by  
18 making microscope slides and resealed the container and  
19 the outside package.

20 Q And what was done with the hairs removed from  
21 here?

22 A They were placed on permanent microscope slides.

23 Q And were photographs taken of those slides?

24 A Yes.

25 Q And then what did you do when you were completed



1 with this?

2 A All the evidence in this case, including that  
3 package, was returned -- given to Julie Long, and then  
4 she did other examinations if required or if they  
5 weren't, but she then sent all the evidence back in the  
6 case to the Sidney Police Department.

7 Q Did you seal this?

8 A Yes.

9 MR. THAGGARD: I move for conditional admission  
10 of State's 5.

11 THE COURT: Any objection to 5?

12 MR. MALTESE: Foundation.

13 THE COURT: It'll be admitted for  
14 identification subject to further foundation.

15 Q I'm now handing you what is marked as State's  
16 Exhibit No. 11. Have you ever seen this item before?

17 A Yes, I have.

18 Q Okay. And what is it?

19 A I believe it contains the pillow case from the  
20 residence of the victim.

21 Q I would refer you to the labeling on it.

22 A Oh, I'm sorry. It's not the pillow case. It's  
23 the sheets from the residence of the victim.

24 Q And when did you receive this?

25 A They were all included in the same package on

1 February 10th, 1989.

2 Q And did you receive that?

3 A Yes.

4 Q And what did you do with it upon receipt?

5 A It was kept in storage in a locked evidence room  
6 until I received it from the evidence technician and  
7 actually opened the package myself, examined it for hairs  
8 and fibers, and then resealed it, and then it was given  
9 over -- or signed over to Julie Long.

10 Q Was it sealed with a police seal when you opened  
11 it?

12 A Yes, it was.

13 Q What did you do with the hairs you removed?

14 A Again, all the hairs I removed in this case were  
15 placed in a separate microscope box.

16 Q And were they photographed?

17 A Yes.

18 Q Did you seal this when you were done?

19 A Yes, I did, and that seal is still shown on the  
20 package.

21 MR. THAGGARD: Your Honor, I move for  
22 conditional admission of State's 11.

23 THE COURT: Any objection to 11?

24 MR. MALTESE: Foundation.

25 THE COURT: It's admitted for identification

1 subject to foundation. Now, is this whole box -- was  
2 that all handled the same way?

3 THE WITNESS: Yes, sir.

4 THE COURT: Why just can't you put the same  
5 foundation just on the chain ----

6 MR. THAGGARD: All right. I'll do that, Your  
7 Honor.

8 THE COURT: --- for the rest of the exhibits in  
9 that box, and then you make the same objection?

10 Q I'm now handing you State's Exhibit 1.

11 THE COURT: Well, one's a picture of a bedroom.

12 MR. THAGGARD: Oh, excuse me, Your Honor. It's  
13 State's Exhibit 17.

14 Q I'm handing you State's Exhibit 17. Can you  
15 identify that for us?

16 A Yes, this is the pillow case from the same  
17 bedroom.

18 Q Okay. I'm handing you State's Exhibit 16. What  
19 is that?

20 A Vacuumings from the bedroom.

21 Q And I'm handing you State's Exhibit 15. What is  
22 that?

23 A Another pillow case from the bedroom.

24 Q And did you ever receive those items?

25 A Yes, they were all in the same package with the

1 other items we discussed, and they were all received on  
2 February 10th, 1989.

3 Q And what did you do with them?

4 A All hair and fiber present was removed, and  
5 microscope slides made, and pictures taken of the hairs  
6 through the microscope.

7 Q Were those sealed when you received them?

8 A Yes.

9 Q What did you do when you were done removing the  
10 items?

11 A They were resealed. In this particular case I  
12 think some of the hair may have been removed by  
13 Julie Long separately.

14 Q What did you do after you resealed them?

15 A Well, everything was again given to  
16 Julie Long, and she's the one who kept the evidence until  
17 it was returned.

18 MR. THAGGARD: Your Honor, I move to admit  
19 State's 15, 16 and 17.

20 MR. MALTESE: May I examine those, Your Honor?

21 THE COURT: Certainly. Here, give them to him.

22 (Whereupon, Mr. Maltese examined State's  
23 Exhibits 15, 16 and 17.)

24 MR. MALTESE: I have an objection on the basis  
25 of foundation, Your Honor.

1 THE COURT: Fifteen, sixteen and seventeen will  
2 be admitted for identification subject to further  
3 foundation.

4 MR. THAGGARD: All right, Your Honor.

5 Q I'm now handing you what's marked as State's  
6 Exhibit 20. Can you identify that?

7 A Yes.

8 Q And what is it?

9 A Ah, one of the pillow cases involved in this  
10 case. Julie Long examined it before I did, so she'd  
11 removed the hair and fibers and placed it in an envelope,  
12 and sealed it, and gave it to me.

13 Q Okay. And what did you do with that?

14 A I removed what hairs and fibers I thought  
15 appropriate for examination and then resealed it, and the  
16 seal's still on the package, and then it was turned over  
17 to her for return to the Sidney Police Department.

18 Q And I'm handing you State's Exhibit 19. What is  
19 that?

20 A Hair from another pillow case where Julie Long  
21 examined the item before I did or removed whatever hair  
22 and fiber was present and placed it in the envelope. I  
23 then opened it, removed what items I needed for  
24 examination and resealed it and returned it to Julie  
25 Long, and the seal that I placed on the envelope is still

1 intact.

2 Q And what did you do with the hairs you removed  
3 from these items?

4 A I made microscope slides and took photographs of  
5 the slides when appropriate.

6 Q Did you seal these when you were done?

7 A Yes.

8 MR. THAGGARD: I move for conditional admission  
9 of State's No. 20.

10 THE COURT: Any objection?

11 MR. MALTESE: Foundation.

12 THE COURT: It'll be admitted for  
13 identification subject to further foundation by  
14 Julie Long.

15 Q I'm now handing you what is marked as State's  
16 Exhibit No. 7. Can you identify that for the Court?

17 A Yes.

18 Q What is it?

19 A These contain the microscope slides that I  
20 previously mentioned that were prepared from the evidence  
21 in this case.

22 Q And what was done with those?

23 A Ah, they were returned with the evidence by  
24 Julie Long to the Sidney Police Department.

25 Q Did you give them to Julie Long?

1           A   Yes.

2                   MR. THAGGARD:  I move for conditional admission  
3   of State's 7.

4                   THE COURT:  Any objection?

5                   MR. MALTESE:  Foundation.

6                   THE COURT:  Seven's admitted for identification  
7   subject to further foundation.

8           Q   I'm now handing you what's marked as State's  
9   Exhibit 24.  Can you identify that?

10           A   Yes.

11           Q   What is it?

12           A   It's a rape kit from Paul Kordonowy, Jr.

13           Q   And when was that received?

14           A   It was received in the laboratory on 1/30/89 by  
15   certified mail.

16           Q   And then what was done with it?

17           A   I removed the hair that was contained in the kit  
18   for my examination, and then sealed it and turned the kit  
19   over to Julie Long.

20           Q   And did you photograph the hairs which you  
21   removed?

22           A   I didn't photograph all of them, but I  
23   photographed a representative sample of them.

24           Q   Did you seal those before you handed it over to  
25   Julie Long?

1           A   Yes.

2           MR. THAGGARD: I move for conditional admission  
3 of State's 24, Your Honor.

4           THE COURT: Any objection?

5           MR. MALTESE: Foundation.

6           THE COURT: It'll be admitted for  
7 identification subject to further foundation.

8           MR. THAGGARD: I don't quite know if we should  
9 just go ahead and mark this carousel, or we can mark the  
10 bag in which these slides were brought, Your Honor.

11          THE COURT: What's the bag look like?

12          MR. THAGGARD: Do you have the bag? Oh, here  
13 it is.

14          THE COURT: Well, whose machine is it?

15          MR. THAGGARD: Well, it's the Sheriff's  
16 Department, which is why we're reluctant to ----

17          THE COURT: Why don't you mark the bag?

18          MR. THAGGARD: All right. Will you mark that  
19 as State's 26?

20          THE COURT: Is there any objection to that  
21 procedure?

22          MR. MALTESE: No objection, Your Honor.

23          (Whereupon, State's Exhibit No. 26 was marked.)

24          Q I'm handing you what's marked as State's Exhibit  
25 26. Can you tell me what that is?



1           A   Yes, that's the original holder of the slides  
2   that are now present in that carousel.

3           Q   Okay. And who's holding the carousel?

4           A   Excuse me?

5           Q   Who's holding the carousel?

6           A   You are.

7           Q   Okay. And how many slides are in here?

8           A   Twelve.

9           Q   Okay. And are these slides or pictures of  
10   slices?

11          A   They're 35 millimeter colored slides of  
12   microscopic examinations of the hair in this case.

13          Q   And what do they depict?

14          A   They show hair standards and hair comparisons  
15   involving the evidence in this case.

16          Q   And is that the hair you previously discussed  
17   which you have taken?

18          A   Yes.

19          Q   And who took these pictures?

20          A   I did.

21          Q   And are these accurate depictions of the  
22   specimens which you took?

23          A   Yes, they show exactly what I saw in the  
24   microscope.

25          Q   Did you make any changes in these?

1 A No.

2 Q And have you had an opportunity to review these  
3 slides?

4 A Yes, I have.

5 Q And have any changes been made in those slides  
6 between the time you took them -- or these pictures, from  
7 the time you took them and the time you last reviewed  
8 them?

9 A None that I can tell.

10 Q And when did you last review them?

11 A This morning.

12 MR. THAGGARD: Your Honor, I move for full  
13 admission of State's 26.

14 THE COURT: Any objection?

15 MR. MALTESE: Foundation, Your Honor.

16 MR. THAGGARD: Foundation has been laid, Your  
17 Honor, for photographic evidence.

18 MR. MALTESE: Your Honor, ----

19 THE COURT: I -- excuse me. I don't think they  
20 have with respect to 19 and 20 where the witness  
21 testified that Julie Long took the hair. Am I in error  
22 in your testimony? Nineteen is a pillow case. I'm going  
23 to sustain the objection.

24 MR. THAGGARD: May I voir dire?

25 THE COURT: Yes.

1 Q What was done with the hair Julie Long took and  
2 gave to you?

3 A Made microscope slides, and all those slides were  
4 placed in that box that you showed me previously.

5 Q Are any of the slides of the pillow case hair  
6 contained in that carousel?

7 A Yes.

8 Q Okay. And have those been in your possession  
9 since they were taken?

10 A Let me refer to my notes. In the case of the  
11 pillow case hair it might not be. Let me check for sure.

12 (Whereupon, the witness examined a document.)

13 A No, in the case of the pillow case hair, there's  
14 no pictures in that carousel.

15 MR. THAGGARD: Then I move for admission, Your  
16 Honor.

17 THE COURT: Any objection?

18 MR. MALTESE: Your Honor, these are apparently  
19 photographs of things that were depicted and were  
20 received conditionally in other cases, and I don't think  
21 the proper time to admit the pictures would be until the  
22 admission of the other items of -- proposed items of  
23 evidence.

24 MR. THAGGARD: If I may respond, Your Honor.  
25 The other items have been conditionally admitted upon

1     laying the proper foundation, which is bringing in  
2     Julie Long who mailed out all these other items. These  
3     pictures have been with Mr. Melnikoff. They were not  
4     turned over to Julie Long.

5             THE COURT: I just view them as pictures, and  
6     I'm going to overrule your objection and admit Exhibit  
7     No. 26.

8             Your testimony was that you took the pictures and  
9     they've been in your possession ever since?

10            THE WITNESS: Well, they were put in the case  
11     file at the Montana Laboratory and kept there until  
12     requested for this case because I left Montana.

13            THE COURT: They're admitted.

14            Q Did you perform forensic hair examination of  
15     this, these items?

16            A Yes, I did.

17            Q And how was that done?

18            A Ah, the first thing you do is make microscopic  
19     slides of the hair or fiber that's present, and then you  
20     do a microscopic comparison. And the best way to  
21     illustrate what you look for is the use of a blackboard  
22     or chart to show.

23            Q Could you please do that for us?

24                    (Whereupon, the witness walked over to the  
25     chalkboard.)

1           A   Is it okay to erase that?

2           Q   Yes.

3           A   Okay. The first thing you do in a hair  
4 examination is verify it's human hair, which is very easy  
5 to do because animal hair . . .

6                   (Whereupon, the witness drew a diagram on the  
7 chalkboard.)

8           A   Hair is composed of three basic structures, and  
9 one of them is noticeably different in animal hair. The  
10 outside of the hair is called the cuticle, which actually  
11 is a thin, transparent covering that goes all the way  
12 around the hair, but you can see it on edge in a  
13 microscope.

14                   (Whereupon, the witness continued to draw the  
15 diagram on the chalkboard.)

16           A   And it contains scale cells that outline the  
17 hair. A lot of animals, the scales have a specific  
18 structure or shape. In human hair they do not. They're  
19 totally random.

20           In addition to that, the center part of the hair has  
21 a hollow area called the medullary. The animal hair has  
22 a very specific structure, and in some cases it covers  
23 the entire hair, like in the deer family. In human hair  
24 it's either absent or present. It's extremely narrow,  
25 narrower than any other animal hair. So based on the

1 fact of what the medullary looks like and what the  
2 outside scales look like, you can easily distinguish  
3 human hair from other animal hair. The only hair that's  
4 similar to human hair is primate hair. Obviously, that's  
5 not a major concern.

6 Q Are the hairs which you've taken pictures of and  
7 which have been admitted in this carousel, are those  
8 human or animal?

9 A They're human hairs. I did find a few dog or cat  
10 hairs, too, but they're not in the carousel.

11 Q And what did you do after you made this step?

12 A Okay. Then I had to compare the known standards  
13 within this case to see if I could distinguish the hair  
14 from the victim and the suspect.

15 Human hair has a range of microscopic  
16 characteristics which vary between individuals. And in  
17 most cases you can distinguish one person's hair from  
18 another under a microscope, but you always have to look  
19 at the standards to start with to make sure the two  
20 individuals that you're comparing hair is microscopically  
21 distinguishable.

22 Q Can you explain what the standards are,  
23 Mr. Melnikoff?

24 A In the rape kit from both the victim and suspect  
25 standard hairs are taken which include head and pubic

1 hair, which are known hairs that are pulled out and  
2 placed in an envelope at the time of a medical  
3 examination of the victim and at a later date of the  
4 suspect. And so their origin is known accurately because  
5 somebody testifies to the fact that they are the ones  
6 who, you know, withdrew the hair.

7 Q Forgive me for interrupting you. What was the  
8 next step then?

9 A Okay. The next step is to compare the hair, and  
10 when you compare human hair, there's certain things you  
11 have to look for.

12 Q And what do you look for?

13 A The first thing you do is you separate head hair  
14 from pubic hair and other body hair.

15 Q And then what do you do?

16 A And then you compare the hair for their actual  
17 microscopic characteristics to see if they're  
18 distinguishable or not.

19 Q And what characteristics would you be looking  
20 for, sir?

21 A Okay.

22 (Whereupon, the witness drew another diagram on  
23 the chalkboard.)

24 A This is what a human head hair looks  
25 approximately at 250 power, which means the hair under a

1       microscope, it's been magnified 250 times. Okay.

2           You see the outside edge of the hair, and that's the  
3       cuticle. Like I said, it's a clear layer that actually  
4       goes all the way around the hair similar to, like, a lead  
5       pencil, the paint around the pencil, and under the  
6       microscope you see it on edge. For people of the  
7       caucasian race, it's usually very thin. For people of  
8       other races it can be thicker. Occasionally, somebody  
9       from the caucasian/white race has a thick cuticle, so  
10      that's one characteristic you can look at. Okay.

11          The inside of the hair is called the cortex, and it  
12      contains pigment granules which determine the color of  
13      the hair and a medullary if present. In a lot of human  
14      hair it isn't present.

15          Now the medulla, if present, can vary a lot. It's  
16      actually a hollow area in the center of the hair, and it  
17      usually looks black under a microscope. And if it's not  
18      there, you just don't see anything. If it is there it  
19      can be very broken, like this, (indicated) or  
20      occasionally you'll see a continuous -- a continuous line  
21      through the center of the hair, which is real common in  
22      pubic hair but not very common in head hair. Okay.

23          And the most useful things you look at are the  
24      pigment granules, which determine the color of the hair.  
25      In addition to the color they're very variable and



1 they're the most individual characteristic in a person's  
2 hair. The size, shape and distribution of those granules  
3 are very individual characteristic, and you can see two  
4 people's hair that have the same color, and when you look  
5 under a microscope the pigment granules look totally  
6 different and you can easily distinguish them. So the  
7 pigment in the hair is by far the most useful  
8 characteristic and allows you to distinguish most  
9 people's hair.

10 And the other things you can use are what the  
11 medulla looks like, absent or present, and what the  
12 cuticle looks like are additional factors. And, of  
13 course, associated with the pigment is the actual color  
14 of the hair that you see -- that the pigment -- the  
15 little granules that determine the color of the hair.

16 Q What's the next step?

17 A Okay. Once you are satisfied that you can  
18 distinguish the known standards from the individuals  
19 involved in the case, you look at unknown hairs from that  
20 case and see if you can relate those same microscopic  
21 characteristics from the unknown hair to the known hair  
22 standards in the case.

23 Q In this case were you able to distinguish the  
24 [REDACTED] standard sample from the Kordonowy standard sample?

25 A Yes, I was.

1 Q And did you compare any other hairs to those?

2 A I compared hair that was removed from the bedding  
3 and clothing of the victim and the vacuuming from the  
4 victim's house to compare standards from Mr. Kordonowy  
5 and Miss [REDACTED].

6 Q And is that what's depicted on these pictures?

7 A Yes.

8 Q Would it be helpful to show this to the jury?

9 A Yes, it would.

10 MR. THAGGARD: Your Honor, may we show these  
11 slides to the jury?

12 MR. MALTESE: May I approach the Bench, Your  
13 Honor?

14 THE COURT: Pardon me?

15 MR. MALTESE: May I approach the Bench?

16 THE COURT: Yes.

17 (Whereupon, counsel approached the Bench and  
18 the following discussion took place beyond the hearing of  
19 the jurors.)

20 MR. MALTESE: Your Honor, I'm going to  
21 reiterate my objection as to foundation because he's  
22 testifying about samples that haven't even been admitted  
23 into evidence at this point, and I don't think a proper  
24 foundation has been laid for that.

25 THE COURT: Well, he took pictures of the

1 samples, and I guess I view them just as pictures. But  
2 do you want to call Julie Long out of turn? You know, if  
3 you'd had an omnibus hearing ----

4 MR. MALTESE: This would be taken care of, I  
5 understand.

6 THE COURT: The chain of evidence is one of the  
7 stipulations. Now, I'm going to overrule your objection  
8 unless you're -- if you're uncomfortable about some type  
9 of appeal, if you want to call that gal out of turn, I  
10 don't care either. It would just -- if you're  
11 comfortable with me overruling it, I can give you the  
12 choice.

13 MR. MALTESE: Okay. I don't have anything else  
14 to say.

15 THE COURT: It's up to the prosecutor.

16 MR. THAGGARD: I'd be happy to call her out of  
17 turn.

18 THE COURT: Well, it's your roll of the dice.  
19 You do what you want.

20 MR. THAGGARD: I'll go ahead, then, Your Honor,  
21 with the slide show.

22 (The following proceedings were held within the  
23 hearing of the jurors.)

24 THE WITNESS: Are we to proceed with the  
25 slides?

1 MR. THAGGARD: Yes. Can we darken the room and  
2 draw the shades? Now, everyone, we want to make sure you  
3 can see this, so when we get up and shut off the lights  
4 let me know if you can see, okay?

5 (Whereupon, the shades were drawn and the  
6 lights turned off in the courtroom so that the witness  
7 could proceed with his slide show. All the jurors  
8 indicated that they could see the area where the slides  
9 were going to be shown.)

10 THE COURT: Now, will this pick up the  
11 witness's testimony (indicating the microphone)?

12 MR. THAGGARD: Yes, it will, Your Honor. It  
13 will begin with this comparison that he has assembled.

14 (Whereupon, the first slide is shown.)

15 Q What do we see in this first picture?

16 A Okay. The first picture is a comparison of the  
17 known head standards of the suspect and victim in this  
18 case. What you are seeing are actually two microscopes,  
19 each with two different microscope slides of hair.

20 We have a comparison microscope that has an optical  
21 bridge that allows you to look side-by-side at two views  
22 from two separate microscopes. This line down the center  
23 (indicated) is the separation between the two views. So  
24 there's two slides of hair being shown, and they're both  
25 being magnified 250 times.

1           Q Can you the explain coloration of bulbs in  
2 the ----

3           A Okay. Since there are two microscopes they have  
4 two separate illuminating systems which are two separate  
5 high-intensity bulbs. The bulbs are difficult to get  
6 exactly the same color, so when you look at these you'll  
7 see the background color is slightly different, and  
8 that's due to the fact there's two different microscope  
9 bulbs.

10          You try to get them as close to the same intensity  
11 and shade when you examine them, but there's always a  
12 slight difference which you can see. And it affects the  
13 hair slightly too, the background color.

14          Q Whose hair do we see depicted here?

15          A Okay. The hair on the right is a known standard  
16 of Miss [REDACTED] hair.

17          Q Is that head hair or pubic hair?

18          A Head hair. And the one on the left is a known  
19 standard of Mr. Kordonowy's head hair.

20          Q All right.

21          A And in a hair examination you look at those  
22 things that I described. In both of these hairs there's  
23 no medullary present. The cuticle or edge of the hair is  
24 very thin. You can hardly see it, so they're the same.  
25 The major difference is the pigment grains themselves.

1 They're a different color. They're obviously much  
2 lighter than the victim's hair, and in the victim's hair  
3 the granules tend to be more distributed towards the edge  
4 of the hair with less in the center where in  
5 Mr. Kordonowy's hair there's thicker distribution of  
6 pigment. They're darker and they're very evenly  
7 distributed through the hair.

8 (Whereupon, the witness showed the second  
9 slide.)

10 A Okay. This is another comparison of two head  
11 hairs, two different head hairs from the victim and  
12 suspect, and again with the victim's hair on the right  
13 and Mr. Kordonowy's on the left. Again, you can see  
14 they're distinctly different. The victim's hair in most  
15 cases was thicker than the suspect's hair, but they did  
16 overlap a little bit. But the major difference is the  
17 color, which is associated with the pigment granules, and  
18 you again see this major thing, that in Miss [REDACTED] hair  
19 the pigment is lighter and more distributed to the outer  
20 side of the hair where Mr. Kordonowy's hair is heavier  
21 pigment. You can see his plumpy streaks here  
22 (indicated), and it's evenly distributed through the  
23 hair.

24 (Whereupon, the witness showed the next slide.)

25 A Okay. Here's another example of the same thing

1 with Mr. Kordonowy's hair on the left.

2 Q Is this head hair or pubic hair?

3 A These are all head hairs.

4 Q All right.

5 A And Miss [REDACTED] on the right. This hair shows  
6 the pigment distribution a little better. You can see in  
7 her hair that the pigment is definitely more associated  
8 with the outside of the hair, and his hair is evenly  
9 distributed with a different shade of color.

10 (Whereupon, the witness showed the next slide.)

11 A Okay. This is the last example of the head  
12 standards. Most of their hair did not have a medullary,  
13 as I mentioned. I found two hairs, twenty or ten from  
14 each individual, that had a medullary in a very small  
15 area of the hair, so I'm showing that.

16 Q What is a medullary?

17 A It's a hollow area in the center of the hair  
18 represented by these dark areas (indicated). In his hair  
19 it's very hard to see because other of the color of the  
20 hair.

21 Q And again for clarity sake, whose hair is on the  
22 right?

23 A The victim's, again, on the right, and  
24 Mr. Kordonowy's on the left.

25 Q All right.

1           A   And these are the only hairs in the entire case I  
2 examined, the head hair, that had a medullary, but I  
3 wanted to show that this was present but usually absent  
4 in all head hair.

5           Q   Why did you put a medullary sample in there?

6           A   Well, in case questions came up of how thorough  
7 the examination was, if I looked for medullary  
8 characteristics. I'd have to say there was some but it  
9 wasn't prominent enough to be too concerned about. But I  
10 did show that it did occur in some of the hair.

11          Q   All right.

12                   (Whereupon, the witness showed the next slide.)

13          A   Okay. The next slide is a known standard from  
14 Mr. Kordonowy on the right and the hair that was removed  
15 from the top sheet, one of the proposed exhibits in this  
16 case.

17               Again, there's two separate hairs and the microscope  
18 division's right here (indicated). They're similar  
19 enough they almost look like one hair; the same diameter,  
20 same pigment distribution, basically the same color,  
21 matching the known characteristics of  
22 Mr. Kordonowy, distinctly different from the hair  
23 standards of the victim.

24          Q   Are these the same widths?

25          A   Yeah. I mean, they're slightly different. I



1 mean, you're talking about ten thousandth of an inch  
2 difference, so for all practical purposes they're the  
3 same width.

4 Q And how similar is the distribution of the  
5 pigments?

6 A It's basically the same. No hair is exactly the  
7 same. You see pigment distributed through the hair, and  
8 you can see the same types of pigment in here (indicated)  
9 repeating itself from one hair to the other, but no exact  
10 location on the hair would be exactly the same. It would  
11 just be very similar, and that's what you see in this  
12 case.

13 Q How similar is the coloration?

14 A Ah, it's very similar. You know, we have to take  
15 into consideration that the microscope background color  
16 is slightly different and it pretty much explains the  
17 slight difference in the color of the two hairs. I  
18 switched them when I actually examined them and saw that  
19 they were the same color under the same microscope.

20 Q Are you able to distinguish those two hairs from  
21 one another?

22 A Not just by looking at them. Is that what you  
23 mean?

24 Q Well, given your basis of your -- on the basis of  
25 your microscopic analysis.

1           A Well, I know they're two separate hairs, but it  
2 would be very difficult if just given to you to separate  
3 them and saying that they are. And they're all the same  
4 microscopic characteristics particularly when they came-  
5 from one individual or another individual whose hair you  
6 cannot differentiate microscopically.

7           Q If they were both known standards of two  
8 individuals, could you distinguish them from one another  
9 for the purposes of a forensic's hair ----

10          A No, if this was from two separate individuals  
11 you'd have to say their hair is so similar that you  
12 couldn't microscopically distinguish them.

13          Q What is the likelihood that you couldn't  
14 distinguish two separate hairs like that?

15          A Well, with caucasians, based on my experience and  
16 probably other examiners, caucasians have the most  
17 variable head hair. It's less for other races, but for  
18 caucasians it's about one chance in a hundred.

19          If you randomly        took two people and brought them  
20 into a room and examined their hair, there would be about  
21 one chance in a hundred you wouldn't be able to tell them  
22 apart. So ninety-nine out of one hundred people you'd be  
23 able to distinguish their hair by a microscopic  
24 examination.

25          Q And that's for head hair that you're talking

1 about here?

2 A Yes.

3 Q All right.

4 (Whereupon, the witness showed the next slide.)

5 A In the next slide is a known standard of  
6 Mr. Kordonowy's hair on the right and a standard head  
7 hair on the left that was removed from the vacuuming of  
8 the victim's bedroom. Again, we see basically the same  
9 characteristics. This hair is just slightly thicker, but  
10 not very much. And if you remember from the standards,  
11 there was some variation in the thickness of Mr.  
12 Kordonowy's head hair. And we see basically the same  
13 thing, the exact -- not exact but almost the same pigment  
14 distribution and almost the exact same color in the hair,  
15 and particularly the hair that came -- originated from  
16 possibly the same person.

17 Q And if these were both known standards, would you  
18 be able to microscopically distinguish them on the basis  
19 of their characteristics?

20 A No, they're similar enough that I would not be  
21 able to distinguish them as coming from two separate  
22 people.

23 (Whereupon, the witness showed another slide.)

24 A Okay. This last slide is a little bit different.  
25 Instead of the hairs going across they're lined up

1 parallel. I should say perpendicular to the screen. And  
2 here's the line between the hairs here (indicated), so  
3 they're looked at perpendicular, edge over edge of each  
4 view.

5 And you can see the color is slightly different.  
6 Again, I can explain it to some extent by the background  
7 color, but Mr. Kordonowy's head hair varied in color from  
8 a grayish brown to more of a reddish brown, so this is  
9 more on the reddish brown than the other hair.

10 The main thing I want to of this is not so much the  
11 color as the pigmentation. Except for the color  
12 difference, which is in the shaded variation of his hair,  
13 you can see that the pigmentation is basically the same.  
14 We have these streaks in the pigment running down  
15 parallel with his hair, and it's the same throughout the  
16 hair, so it shows that the pigmentation between these two  
17 hairs is basically the same, no obvious differences.

18 Q How do you rate this pigmentation?

19 A This is the most unique characteristic of any  
20 individual's hair.

21 Q Now, how well does the width of these hairs match  
22 up?

23 A Well, it's hard to say because you're looking at  
24 an overlap and we're not seeing both edges of the hair.  
25 So, I'd say from memory they were similar, but I can't

1 tell from this exact picture.

2 (Whereupon, the witness showed the next slide.)

3 A Okay. These next slides involve pubic hair  
4 standards. Now, pubic hair is quite a bit different from  
5 head hair. Head hair tends to be fairly straight even if  
6 you have what is called curly head hair. On a microscope  
7 you can barely see the curve because you're magnifying  
8 the hair so much.

9 With pigment hair it is very buckled or curvy and  
10 you tend to have an elongated tip, a fairly thick center,  
11 and then a buckle between there and the end of their  
12 hair. And so when you compare pubic hair you have to  
13 look at the same areas of the hair to make, you know, a  
14 relevant comparison.

15 So all the standards and all the other pictures I  
16 will show you are from the same area of the hair, the  
17 middle of the pubic hair.

18 Now, your head hair and pubic hair are actually  
19 inherited separately. You can have light head hair and  
20 dark pubic hair or vice versa. They don't necessarily  
21 have to be at all similar. You cannot tell an individual  
22 from looking at their pubic hair what their head hair  
23 will look like or vice versa. Sometimes it's very  
24 surprising, their hair is just totally different.

25 In this particular case both the victim and suspect

1 had head and pubic hair that was fairly similar, which is  
2 not very common, but it did occur in this case.

3 Q Were you able to distinguish the standards?

4 A Yes, I could. So, basically, their hair  
5 coloration and pigmentation between the head hair and the  
6 pubic hair in this case was similar between the known  
7 head hair of the victim, head and pubic hair versus the  
8 known head hair and pubic hair of the suspect.

9 Q Okay.

10 A So this slide, this is the known head hair --  
11 pubic hair of the victim. The one on the left is the  
12 known pubic hair of the suspect, and the pigmentation is  
13 very similar to the head hair. The victim's pigment  
14 tends to be more associated with the edge of the hair.  
15 It's lighter, more finely divided.

16 The suspects pigment, again, is more evenly  
17 distributed and coarser. One thing we do know is that  
18 this medulla that runs down the center of the hair,  
19 medulla was common in all their pubic hair, which is more  
20 typical of pubic hair.

21 (Whereupon, the witness showed the next slide.)

22 A Okay. This is two additional pubic hair  
23 standards from the victim and the suspect. The victim's  
24 hair is on the right, the suspect on the left. Again,  
25 you see basically the same thing, a lighter color with

1 the pigment more distributed towards the edge of the hair  
2 in the victim, more evenly distributed in the suspect.

3 One thing that he does have which is a little less  
4 common that the victim's hair doesn't have is, this is  
5 medulla also (indicated). Occasionally you get medulla  
6 that's filled with fluid, and then it becomes transparent  
7 to the microscope, it's not black. And so you can see it  
8 here but -- as a tube running through the hair, but it's  
9 not dark. That's because there's fluid in it and that  
10 occasionally happens.

11 So in the case of the suspect, he had both types of  
12 medulla present in some of his hair where the victim just  
13 had the dark or non-liquid type of medulla.

14 (Whereupon, the witness showed the next slide.)

15 A Okay. This is the last comparison on the  
16 standards of the victim and suspect. Again, you see what  
17 I described previously. Her pigment's associated more  
18 with the outside of the hair. His hair is more evenly  
19 distributed with heavier pigment. He does have a medulla  
20 there, but it's hard to see running down the center of  
21 the hair which is what they call a translucent medulla.

22 And his hair was more twisted than hers. And you  
23 can that in this picture, see the diameter varies.  
24 That's what causes the hair to twist. Her hair tends to  
25 be more straight.

1 (Whereupon, the witness showed the next slide.)

2 A Okay. This is a known comparison of the  
3 suspect's pubic hair on the right versus a hair that was  
4 removed from the bedroom vacuuming on the left, pubic  
5 hair that matches the characteristics of the suspects  
6 known pubic hair, same type of pigmentation, similar type  
7 medullary. Here is a division in the hair (indicated).

8 Q How is the coloration?

9 A It's very similar. It's almost identical.

10 Q What about width?

11 A Basically the same, you know, across the hair.  
12 Both these hairs are slightly twisted, so it depends  
13 where you measured it.

14 Q Were you able to distinguish this known head hair  
15 -- or known pubic hair of the suspect and the hair found  
16 in the vacuum on the basis of microscopic  
17 characteristics?

18 A In the case of this particular hair, they had the  
19 same amount.

20 Q If these are known standards of two different  
21 individuals, two different pubic hairs, could you  
22 distinguish them from one another?

23 A No, I again would say that the microscopic  
24 characteristics are so similar that I wouldn't be able to  
25 distinguish the two individuals by the basis of the



1       microscopic comparison of the pubic hairs.

2               Q   What's the likelihood that you could not  
3       distinguish one individual's pubic hair from another's?

4               A   It's very similar to head hair. With caucasians  
5       it's about one chance out of a hundred. It's very  
6       similar.

7                       (Whereupon, the witness showed another slide.)

8               A   Okay. This last slide is a known standard of the  
9       suspect's hair on the right and a hair that was removed  
10      from the victim's pubic combing on the left. And, again,  
11      they're very similar.

12              This is -- you see this translucent medullary is a  
13      lot harder to see here (indicated), and it has the same  
14      basic color. It's more of a reddish-brown color that's  
15      typical of some of his hair, and with the same type of  
16      heavy pigment distributed through the hair, and that's  
17      characteristic of Mr. Kordonowy's pubic hair

18              Q   All right.

19              A   And that's the end of the slides.

20                     (Whereupon, the witness returned to the witness  
21      stand.)

22              Q   How similar was Mr. Kordonowy's pubic hair to his  
23      head hair in terms of microscopic characteristics?

24              A   Except for the shape of the hair and presence of  
25      medulla, the pigmentation was basically very similar.

1       There wasn't much difference between the color and  
2       pigmentation of his head hair and pubic hair.

3           Q   How common is it for an individual to have such  
4       similar pubic and head hair?

5           A   It's uncommon. I'd say with caucasians about  
6       ninety percent of the people their head hair and pubic is  
7       distinctly different when examined under a microscope as  
8       far as pigmentation and color goes.

9           Q   From the suspect's hairs which were examined on  
10      the pictures from the vacuumings, how similar was the  
11      suspect's pubic hair to the suspect's head hair?

12          A   Well, they had the same -- the unknown hairs that  
13      were found?

14          Q   Yes, the unknown hairs.

15          A   The unknown hairs, again, had the same range of  
16      microscopic characteristics which could be associated  
17      with Mr. Kordonowy and were distinctly different from the  
18      victim's known head and pubic hair.

19          Q   Are you saying, then, that Mr. -- both  
20      Mr. Kordonowy and the unknown hairs, both Mr. Kordonowy's  
21      head hairs and pubic hairs and the unknown person's head  
22      hairs and pubic hairs were very similar?

23          A   Yes, similar enough that I'd have to say they  
24      were either from the same person or a person whose head  
25      or pubic hair I could not microscopically distinguish

1 from Mr. Kordonowy's.

2 Q What is the probability that these unknown pubic  
3 and head hairs of the suspect are of the unknown person  
4 and the pubic hairs and head hairs of Mr. Kordonowy?

5 A Well, that's a ----

6 MR. MALTESE: Foundation, Your Honor. I'm  
7 going to object.

8 THE COURT: I'm not sure I understood the  
9 question.

10 MR. THAGGARD: Okay. I'll rephrase the  
11 question.

12 Q Were the pubic hair and head hair of the unknown  
13 hairs which were derived from the Bergh vacuumings, did  
14 they have the same characteristics?

15 A Ah, the ones that had the same -- the ones that  
16 had the same characteristics of Mr. Kordonowy did. They  
17 had the same range of microscopic characteristics.

18 Q And did Mr. Kordonowy's head hair and pubic,  
19 known head hair and pubic hair, have the same range of  
20 microscopic characteristics?

21 A Yes.

22 Q And was that the case with the unknown subject's  
23 pubic hair?

24 A The ones that matched those characteristics, yes.

25 Q And did the unknown suspect's head hair match the

1       microscopic characteristics of Mr. Kordonowy's?

2           A   Yes.

3           Q   Were you able to distinguish the unknown  
4       subject's hair samples from those of Mr. Kordonowy's hair  
5       samples?

6           A   Not as far as what they look like under a  
7       microscope.

8           Q   And you stated the probability of that is?

9           A   From my experience and from talking to ----

10           MR. MALTESE: Your Honor, I'm going to object  
11       on the basis of foundation.

12           THE COURT: Overruled.

13           A   Based on my personal experience of doing  
14       somewhere between five and seven hundred hair cases when  
15       I worked in Montana and from talking to other examiners  
16       who compare hair with caucasians, they all feel that one  
17       in a hundred is a good, conservative estimate of the  
18       probability of two people's hair matching, either head  
19       hair or pubic hair.

20           Q   And what is the probability that two individuals  
21       would have head hair and pubic hair of the same  
22       characteristics which match?

23           A   Well, a similar situation would be like rolling  
24       dice. If you want to get thirty-six on the dice or snake  
25       eyes, you know, there's only one possibility on each dice

1 to do it. So there's one chance out of six on one dice  
2 and one chance out of six on the other. If you want both  
3 possibilities together you multiply it together, and  
4 there's one chance in thirty-six of getting snake eyes or  
5 just single numbers to come up on a dice.

6 And this hair comparison is a similar situation.  
7 You have two separate areas of the body depositing hair  
8 whose characteristics are not the same as the other, and  
9 so for both to occur at the same time would be a  
10 multiplication of the individual probability. So it  
11 would be one chance out of a hundred for the head hair  
12 times one chance out of a hundred for a pubic hair, so if  
13 you multiply those two together you get approximately one  
14 chance in ten thousand.

15 Q Is there one chance in ten thousand, then, that  
16 some other individual would have both pubic hair and the  
17 head hair which match Mr. Kordonowy's?

18 A Yes, a good approximation is if you wanted to  
19 look for another individual you'd have to go through at  
20 least ten thousand people to find one person who would  
21 match all the characteristics of his known head hair and  
22 pubic hair.

23 Q Did you write a report on the basis of this  
24 examination?

25 A Yes, I did.

1 Q What did you conclude?

2 A I concluded a hair was present in this case that  
3 matched the known microscopic characteristics of  
4 Mr. Kordonowy's head and pubic hair, and the hair  
5 originated from Mr. Kordonowy or another individual whose  
6 head hair and pubic hair I could not distinguish from  
7 Mr. Kordonowy's.

8 MR. THAGGARD: No further questions, Your  
9 Honor.

10 THE COURT: I think at this time, ladies and  
11 gentlemen, we'll take the noon recess. Does getting back  
12 at one o'clock present any problems for anyone?

13 (Whereupon, no one indicated that that was a  
14 problem.)

15 THE COURT: And we'll adjourn until one o'clock  
16 with the admonition that you not discuss this case among  
17 yourselves or with any others, and that you not form an  
18 opinion until you've heard all of the evidence. Court  
19 will be in recess until one o'clock.

20 MR. THAGGARD: Thank you, Your Honor.

21 (Whereupon, Court was n recess from  
22 approximately 11:47 a.m. to approximately 1:01 p.m.)

23 THE COURT: Please be seated. Do the parties  
24 stipulate that the jurors are all present?

25 MR. THAGGARD: So stipulated.

1 MR. MALTESE: So stipulated, Your Honor.

2 THE COURT: The record will so show. Are you  
3 offering this witness for cross-examination?

4 MR. THAGGARD: Yes, Your Honor.

5 THE COURT: Go ahead, Mr. Maltese.

6 MR. MALTESE: Thank you, Your Honor.

7

8

9

CROSS-EXAMINATION

10 BY MR. MALTESE:

11 Q Mr. Melnikoff, as I recall your testimony you  
12 were formerly employed by the Montana State Criminal Lab?

13 A The official name is the Montana Criminalistics  
14 Laboratory, yes.

15 Q And it's part of the Department of Justice of the  
16 State of Montana?

17 A That's correct.

18 Q And you worked in that capacity for nineteen  
19 years before taking this job in the state of Washington?

20 A That's correct.

21 Q So at the time that you conducted your testing  
22 with respect to this case you were an employee of the,  
23 we'll call it the State Lab?

24 A That's correct.

25 Q And in that capacity you, of course, had many

1 occasions to testify in a forensic setting in trials like  
2 this?

3 A That's correct.

4 Q And, Mr. Melnikoff, I take it that usually in  
5 your employment you testified on behalf of the State of  
6 Montana?

7 A Usually, yes.

8 Q Were there ever occasions that you testified for  
9 a defendant?

10 A Yes, I've been subpoenaed three times as a  
11 defense witness.

12 Q That would be three times in the nineteen years  
13 that you worked for the State Lab?

14 A That's correct, and I did testify all three  
15 times.

16 Q Now, you are aware that different laboratory  
17 tests were conducted by the State Lab with respect to  
18 evidence specimens received in this case?

19 A Yes.

20 Q And, of course, the ones that you testified about  
21 primarily are those relating to hair samples?

22 A That's correct.

23 Q I understand that there were other tests that  
24 were taken, various fluid samples and things of that  
25 sort, blood samples, but you weren't involved in the



1 testing of those?

2 A Yeah, that's called serological testing, and  
3 Julie Long is the one that did that. I was not involved  
4 in those types of tests.

5 Q As a matter of clarification, there were, of  
6 course, several items of evidence that were marked for  
7 identification which consisted of various evidence  
8 gathered, clothing articles, hair samples, things of that  
9 sort, which were mailed by Law Enforcement authorities in  
10 Sidney, Montana, to the State Lab and that's located in  
11 Missoula, Montana?

12 A Yes, that is correct.

13 Q And, basically, you recall receiving those  
14 packages and they were sealed, is that correct?

15 A Except for the evidence which involved the  
16 standards from the defendant. All the other items came  
17 in one box which I personally signed for and placed into  
18 evidence, and no items were opened until examined by the  
19 specific person, either Julie Long or myself.

20 Q So, basically, as I understand it, then, this box  
21 of goods was received in the normal course of mail by  
22 your agency and then whoever received that at the agency  
23 delivered that to you?

24 A Well, in this particular case I was the one that  
25 happened to receive it, and I have a receipt showing

1 of that.

2 Q So it was actually personally delivered to you,  
3 and you signed a receipt for it?

4 A Right.

5 Q Then you conducted your testing and inspected  
6 these various samples and then resealed the various bags,  
7 things of that sort, and then returned them sealed to the  
8 Law Enforcement authorities here in Sidney, Montana?

9 A Well, with a slight clarification. All the items  
10 I examined I resealed, but some of those items were then  
11 reopened and resealed again by Julie Long. So there were  
12 two people that opened and sealed them not just one.

13 Q And that, of course, was in connection with her  
14 serological examinations that you testified to earlier?

15 A Correct.

16 Q Now, when you received these -- this box which  
17 contained all of these containers of evidence, did you  
18 initial something when you did that?

19 A Well, when we received it all I did was sign an  
20 evidence form, which is a copy here (indicated), and  
21 recorded it in a log book that shows all evidence  
22 received at the lab, and nothing was done with the other  
23 evidence until the box was opened at a later by myself.  
24 We don't open the container, even the actual package,  
25 until somebody who's going to analyze the evidence can

1 actually inventory it and verify what's there.

2 Q I see. So when you receive it you sign that log  
3 sheet that you just referred to, and then it's placed for  
4 safe-keeping at a place at your State Lab in Missoula,  
5 Montana?

6 A Yeah, we have four or five evidence rooms that  
7 are kept separate, and the only people who have access to  
8 them are either the evidence technician or myself because  
9 I was the laboratory manager.

10 Q So, then, between the time that you actually  
11 received it and the time that you conducted your testing  
12 they were in this ----

13 A Evidence room.

14 Q --- evidence room?

15 A Right.

16 Q Now, after you conducted your testing is it not  
17 correct you prepared your report of -- called a Physical  
18 Evidence Examination Report?

19 A Yes.

20 Q And in the Physical Evidence Examination Report  
21 there's usually a notation as to who has requested the  
22 testing and the case number your lab has assigned to it,  
23 and you have a description of the respective pieces of  
24 evidence that you received.

25 A Yes, that's the usual procedure.

1 Q And this report has various lab numbers that are  
2 assigned to the various items that you received from the  
3 requesting agency?

4 A That's correct.

5 Q And there is then, also, a description of what  
6 was done with the evidence in terms of your examination?

7 A Correct.

8 Q For example, if you conducted a microscopic  
9 examination you would note in your Physical Evidence  
10 Examination Report that a microscopic examination had  
11 occurred?

12 A That's correct.

13 Q And, also, in this Physical Evidence Examination  
14 Report there would be a brief report of what the  
15 examination may have established or shown?

16 A Well, it briefly describes the procedures and  
17 examination done and the conclusion of the examiner to  
18 what those procedures and examinations mean.

19 Q Now, once the report is completed, and I take it  
20 the Physical Evidence Examination Report is completed at  
21 the conclusion of your various testing, is that correct?

22 A Ah, most of the time the report's not written  
23 until it's completed. Occasionally, for various reasons,  
24 only part of the evidence is there and the report is  
25 needed before the final report and then we'll send our

1 stage, so you're always shedding dormant hairs all the  
2 time, that's continual.

3 Q And when you examine some hair you would be able  
4 to tell from looking at that hair -- there would be some  
5 sign that would show whether it was dormant or dead hair  
6 or whether it was living hair, say, plucked from your  
7 head?

8 A Actively growing.

9 Q Pardon?

10 A Yeah, the root would be distorted if it was  
11 actively growing because it would have to be pulled out  
12 of the follicle.

13 Q Now, by the analysis of the hair can you also not  
14 tell whether or not that same hair was taken from the  
15 scalp? Can you not tell whether or not the hair was cut  
16 with a scissors or razor cut?

17 A Well, you can tell that, but in comparing the  
18 origin of the hair it's usually not of much use because  
19 you could get standards from a person after they had a  
20 haircut, and the other hairs were, you know, several  
21 months before and, you know, saying if they had a hair  
22 cut or not doesn't prove anything. So ----

23 Q Well, of course, if you have cut hair it would  
24 show that the hair was cut?

25 A Right. But, I mean, the hair standards aren't

1 preliminary report, but it's on rare circumstances when  
2 that happens.

3 Q When that report is completed, you usually date  
4 and sign this report?

5 A Correct.

6 Q And then the original of that report is mailed to  
7 the requesting agency?

8 A That's correct.

9 Q And you retain a copy for your business records  
10 at the agency?

11 A Correct.

12 Q Now, in your slide pictures that you showed you  
13 basically described where certain hair samples may have  
14 come from. For example, they were found in the vacuuming  
15 in the bedroom, or they were found in the bedroom or  
16 something like that. That would be noted or marked. But  
17 you didn't specifically differentiate, did you, as far as  
18 the preparation of the slides which lab sample which item  
19 the hair came from?

20 A Well, the slides themselves have the lab  
21 identification number on each side.

22 Q That is on the slide, then, written on the slide?

23 A Yeah, those 35 millimeter slides, yeah.

24 Q But that wasn't viewed, though. You couldn't  
25 view that on the projector?

1           A No, they're just hand written on the margin of  
2 the slide.

3           Q Okay. Now then, the hair samples that you  
4 received, and I know you received several different types  
5 of hair samples, didn't you examine the hairs to  
6 determine how the hair may have been removed from the  
7 suspect or from whatever source they may have come from?

8           A I'm not sure what you mean by that question. You  
9 mean how physically they were removed?

10          Q Yes.

11          A The only thing you can tell with hair is if  
12 they've been pulled forcibly from a person or not, but  
13 you can't tell if they used a tweezers or a comb, if  
14 that's what you're referring to.

15          Q Well, it's true, is it not, that hair can  
16 sometimes be defined as so-called resting hair?

17          A Well, that's just a non-descript, non-technical  
18 term, and there's two types of hair; hair that's dormant  
19 or not actively growing and actively-growing hair, and to  
20 remove actively-growing hair you have to forcibly remove  
21 it and the root, then, becomes distended and you can tell  
22 when you examine it. The other hair is just sitting in  
23 the follicle and falls out when something bumps against  
24 it. Approximately eighty percent of your hair is  
25 actively growing, and twenty percent is in the dormant

1 necessarily taken at the same time that the incident  
2 occurred. And only if they were, then showing if one was  
3 cut or not would be useful. Most of the time they're  
4 not. So if the hair's not taken at the same date, you  
5 know, you can't make too much of the difference if one  
6 had a, you know, a fresh hair cut and the other one  
7 didn't.

8 Q Now, you were aware that several of the hairs  
9 involved in your examination were hairs that had been  
10 collected in 1987?

11 A Yes, they'd been collected previously, yes.

12 Q And you, of course, according to your testimony,  
13 didn't receive those hairs until sometime in 1989?

14 A Well, they were submitted in regards to another  
15 case and then sent back, and the actual slides were then  
16 returned for this, you know, comparison. There were two  
17 separate examinations involving the hair standards in  
18 this case.

19 Q With respect to the suspect or the defendant  
20 here.

21 A Right. That's correct.

22 Q But there were also hair samples that were  
23 gleaned from and collected at the scene of the incident  
24 which gave rise to this case, were there not?

25 A Well, I don't know the date of the case. I'm



1 referring to the evidence that was submitted in that one  
2 box on the 10th of February.

3 Q Okay. So you don't know when you examine it what  
4 the actual date is that those samples were collected?

5 A I have no idea. I wasn't involved in that.

6 Q And you would have no idea of what the age of the  
7 hair is, the hair sample?

8 A No, and there's no real accurate way of aging  
9 hair just by looking at the hair itself.

10 Q Are there ways of determining the age of hair?

11 A Well, how you do it is that known standards from  
12 a person collected at different dates and then compare  
13 those -- that hair back to those standards collected at  
14 different dates from that person, and that's the only  
15 possible way to do it because hair grows approximately a  
16 centimeter a month which is about a third of an inch. So  
17 that's the only thing you can compare it to. There's no  
18 way of having a separate hair you'd find and saying, "Oh,  
19 yeah, this hair fell off this guys head two years, three  
20 months ago." There's no way of doing that.

21 Q So, basically, simply by testing you're not going  
22 to be able to determine the age of the hair?

23 A If you mean by "age" the time it was removed from  
24 the person it originated from?

25 Q That's what I mean.

1           A No, there's no way you can do that.

2           Q Is there any way to determine the -- well, first  
3 of all, is there a possibility that hair characteristics  
4 change with the passage of time?

5           A They change on an individual when they go through  
6 puberty. The hair characteristics change to what they  
7 call mature head hairs anyways and, of course, pubic hair  
8 doesn't exist until you go through puberty. And then as  
9 you age your hair can lose pigmentation and your hair can  
10 turn what they call gray or white because you lose  
11 pigment. And, also, if you lose hair you still have the  
12 same hair follicles, but they become immature infantile  
13 hairs. You know, someone who's bald actually has hair on  
14 their head. They're just real small, fine hairs you  
15 don't see anymore because the hair's follicles have  
16 withered and they're producing these what they call  
17 valance or infantile hairs.

18           So to the mature life of an individual until they  
19 age, you know, and then where they turn gray or they lose  
20 their hair, the hair stays the same.

21           Q Now, when you're examining hair there are several  
22 identification factors that you look at, is that not  
23 correct, Mr. Melnikoff?

24           A Yes.

25           Q And do you know how many identification factors

1     exist as far as a human hair is concerned?

2           A   Well, you see some books that say as many as  
3     thirty-two, but they're just splitting, I hate to say it,  
4     hairs, now but -- because they're describing sub-features  
5     as separate points of comparison. And like pigmentation,  
6     there are some people that have seventeen different  
7     characteristics of pigment, the shade, if it's round,  
8     oval, if it clung together in streaks. And instead of  
9     just making a statement that it looks like this or it  
10    doesn't look like that, they break it down into little  
11    segments but the end result's the same; it either  
12    compares or it doesn't.

13           Q   Well, basically, though, you're stating that some  
14    experts, at least, believe that there are thirty-two  
15    different identification ----

16           A   It's silly. You can do that if you want. It's  
17    not really very productive to do that. Either the basic  
18    characteristics compare or they don't. You know, to  
19    count the number of comparisons is kinda misleading. For  
20    an example, people with blond hair, fine blond hair, you  
21    can't even see the pigment in their hair under a  
22    microscope. Their hair is very fine, but you can  
23    determine color and you can determine a few other things,  
24    and because you can't see the pigment and then say that  
25    seventeen factors are missing, and the hair doesn't match

1 the other person, it's just playing on numbers, you know.  
2 If that person has fine blond hair, even though the  
3 pigment doesn't match it's still characteristic of that  
4 person compared to somebody else's, so it's just a number  
5 game that people play, and it's not very useful. It just  
6 misleads people when they do that.

7 Q When you conducted your examination of these  
8 hairs you used -- as a testing device you used primarily  
9 a microscopic examination of the hair, is that correct?

10 A That's basically all I did was a microscopic  
11 examination.

12 Q And with respect to the microscopic examination,  
13 that was to put the hairs under the dual microscope that  
14 you described and to make a comparison of the hairs that  
15 you saw?

16 A Correct.

17 Q And then you were comparing these various  
18 identification facts between the two hairs?

19 A Well, I was comparing what are commonly called  
20 lamorphylogical microscopic characteristics. They see  
21 the actual things, as you can see, under the microscope  
22 that differ from one hair to the other. That's what I  
23 did.

24 Q For example, did you look at such things as scale  
25 count?

1           A   Yeah, scale count in humans is meaningless  
2   because humans have morphorous or non-specific scales.  
3   It only is useful in animals.

4           Q   So just by making a determination, then, whether  
5   it's human hair and not animal hair you're basically  
6   looking at the scale count?

7           A   That's right.

8           Q   And the contour of the hair, was that a factor?

9           A   Well, basically, Yeah. If the hair is straight  
10   or curvy that's a consideration. In this case the hairs  
11   were the same contour, so I didn't make a big point of  
12   that. And under a microscope, unless the hair is  
13   twisted, you can't see the degree of curvature anyway.

14          Q   What about the breadth of the hair?

15          A   The width? Diameter?

16          Q   Yes.

17          A   I showed that -- the slide shows that. It shows  
18   the width of the hair. The 33 millimeter slides show  
19   that.

20          Q   From your experience from examining human hairs  
21   and looking at the width and the breadth of the hair, the  
22   width of hair can vary, can it not, on one person?

23          A   Yeah, it did and I showed that. I showed hair  
24   standards particularly of Mr. Kordonowy where some of  
25   them were narrower and some were thicker. In fact, I

1 picked out standards that showed the complete variation  
2 of their width and color and the standards I showed you  
3 showing that's what the total range of their hair  
4 characteristics were. I just didn't pick out one hair  
5 and say it all looked like that.

6 Q I understand. Looking at the width  
7 characteristics of the hair, and if you just looked at  
8 the root characteristics alone, that certainly wouldn't  
9 tell you too much, would it?

10 A Only if you could exclude somebody. Obviously,  
11 all the known hair is a certain diameter, and this hair  
12 is thicker than all the known hair standards and,  
13 obviously, based on that you could exclude somebody, but  
14 that's all you could do with it.

15 Q So is it a fair statement to say that in the same  
16 strand of hair you can have different variations in  
17 width?

18 A All hair varies to some extent in width.

19 Q In different parts of the body you'd have various  
20 differences in width?

21 A Everybody's hair has some variation in diameter  
22 or width with pubic hair varying the most and head hair  
23 the least.

24 Q Also, with respect to the tapering and the  
25 twisting of the hairs, that would certainly vary to some

1 extent from follicles -- or hair piece to hair piece,  
2 would it not?

3 A Well, the degree of curl or twist has to do with  
4 the shape of the follicle. Can I show you on the  
5 blackboard?

6 Q Sure.

7 (Whereupon, the witness drew a diagram on the  
8 chalkboard.)

9 A Hair grows out of a follicle in your skin, which  
10 is a hole, and then it's got living cells, but once it  
11 raises above the surface there's no blood to supply the  
12 air cells, and so they die. And so all the hair above  
13 the surface is actually dead material.

14 And a follicle can be round, and if it's round then  
15 you get perfectly straight hair. If it's oval, like this  
16 (indicated), you get hair that's curly. And if it's like  
17 this (indicated), you get hair that's kinky and -- or  
18 like negro hair, very twisted.

19 Caucasians, primarily, have this type of hair.  
20 American Indians or -- excuse me, mongoloid or Native  
21 Americans have very straight hair. Generally their hair  
22 is almost a perfect circle. And people of the black race  
23 tend to have a twisted -- flat and oval, or kinky hair,  
24 and it has to do with the shape of their hair. And the  
25 reason is the hair is a cylinder, and if the pressure is

1 equal in both directions it won't twist, and that's what  
2 happens here (indicated). If it isn't equal, if it has  
3 more pressure on one, internal pressure from one  
4 direction or the other, it causes it to twist around  
5 itself. And the more distorted it is from the circle the  
6 more twisted it will get.

7 Q Which can vary from hair to hair to some extent?

8 A Slightly between people, but it's very rare to  
9 find a person with very curly hair having straight hair  
10 and curly hair on the same person. Usually their head  
11 hair is just slightly different. You know, it will be  
12 slightly wavy or defensively straight. You know, you're  
13 not going to find a person with totally straight hair and  
14 curly hair existing on the same -- naturally. I mean,  
15 artificially you can do it, but not naturally on the same  
16 person.

17 Q When looking at that, Mr. Melnikoff, is it  
18 possible to determine to some extent the race of the  
19 person from whom the hair originated?

20 A You can make general determinations but nothing  
21 that's absolutely -- well, you can to some extent be  
22 fairly specific. But when you get to people that have  
23 interracial background, then it becomes extremely  
24 difficult. So you can say generally it's characteristic  
25 of this race or not, but you can't absolutely say that



1     when they have some other mixed racial, you know,  
2     parentage.

3             Q   So, in other words, if you're talking about  
4     someone with mixed Indian/caucasian blood, you'd have  
5     some difficulty with that?

6             A   Yeah, their hair could look anywhere from almost  
7     totally caucasian to totally mongoloid, or somewhere in  
8     between, and you couldn't guess the actual percentage,  
9     you know.

10            Q   Now, is it also accurate that in examining the  
11    hair you can determine the age or make a generalization  
12    as far as the age of the person?

13            A   No, all you can do is very generally say it's  
14    pre-puberty hair, and then you're talking about really  
15    mostly infants, I mean, kids that are probably under  
16    eight years old who have hair that are totally infant  
17    looking. As they get older, even before puberty, their  
18    hair tends to look more mature and people who have, you  
19    know, have lost their hair or their hair has lost color,  
20    you know, the pigment's gone, and even that, that can  
21    vary. There's people that are twenty-five years old and  
22    their hair has naturally turned gray. It's rare. I  
23    mean, most people associate it with more older people,  
24    but it's not -- you just can't look at someone's gray  
25    hair and say they're fifty years old, they're sixty years

1 old, they're -- you know, they could be twenty years old.  
2 It's rare, but it's possible.

3 Q By examining the various hair characteristics can  
4 you -- is dying a factor that you can look at?

5 A Yeah, dying is very apparent in a microscope  
6 comparison. You can basically determine where the hair  
7 was dyed, as far as the length of the hair because as the  
8 hair grows the hair from the root up to the dye mark is  
9 the natural color, and you can see the demarcation and  
10 where it was last dyed. And the hair looks different  
11 because the color is not due to pigment granules, it's  
12 due to an artificial dye, so you see a color that's not  
13 associated with the pigment granules.

14 Q And then also if the hair is bleached, like in  
15 the sun, is that obvious from a microscopic examination?

16 A Ah, pretty much. It appears very similar to  
17 dying, but it's a little different. It's not as -- it's  
18 more gradual when you look at it. It isn't as -- at the  
19 point of -- like, when you dye your hair one point is one  
20 color and another bleached. It tends to be more of a  
21 gradual thing if it's due to the sun.

22 Q In your examination of the hairs here, did you  
23 see any evidence of bleaching?

24 A Ah, some of the victim's hair looks like it may  
25 have been somewhat sun bleached. You know, I can't

1 absolutely say, but it didn't look quite like it was due  
2 to artificial bleaching. Some of her hair towards the  
3 end was a lighter color, which was indicative that there  
4 may have been some sun bleaching involved there.

5 Q Now, if I understand you correctly as far as the  
6 bleaching end of it, it's apparent through a microscopic  
7 examination but not as apparent as the dying. Is that a  
8 correct statement?

9 A Yeah, because the ends of the hair are a lighter  
10 color than the root area, and it's a very gradual  
11 transition. It's not an immediate thing.

12 Q Was there also another characteristic relating to  
13 the refractive index of the hair?

14 A Ah, that's a useless measurement because all  
15 human hair is the same refractive index, so it doesn't do  
16 -- you can measure it, but it doesn't accomplish  
17 anything.

18 Q Again, only in determining whether or not maybe  
19 it was from a human source or a non-human source?

20 A Well, all animal hair is the same refractive  
21 index as human hair. It's due to a protein in the hair  
22 called keratin and that's -- and all hair in -- hair is  
23 the outside covering of all mammals, including humans,  
24 and it's composed of the same type of tissue, and so the  
25 refractive index is the same in animal hair or human

1 hair.

2 Q How about amino acids in the hair?

3 A Same thing. I mean, . . .

4 Q Enzymes?

5 A Ah, you can distinguish some people by enzymes  
6 but you need live tissue, and the only place you get it  
7 is, you've got to have a pulled hair with the roots still  
8 attached, and you've got to do it within a couple hours  
9 after the hair is removed otherwise the cells die.

10 So, in forensic work, unless you got right there and  
11 pulled a hair out from a suspect, you wouldn't be able to  
12 do it. And if you got right there during the incident,  
13 what do you need to send it for a hair examination for if  
14 you were there to start with?

15 Q So what you're saying is that in that type of an  
16 analysis looking at the enzymes, unless you have a  
17 freshly-plucked hair, it isn't going to do you any good.

18 A Right, because it's got to be done with living  
19 cells, and the only living cells in hair are part of the  
20 root that's removed when you pull it out, and they die  
21 quite quickly.

22 Q Now, in examining the various hairs, is another  
23 identification factor diseases?

24 A They can affect the hair. They may be an  
25 identification factor.

1           Q   Are there any other abnormalities of the hair  
2   that are observant through a microscopic examination?

3           A   Yeah, there are certain rare deformities that you  
4   don't see mostly due to -- products of malnutrition due  
5   to disease that you can see in hair where the hair gets  
6   distorted and changed, but you don't see it in healthy  
7   people.

8           Q   Now, as I understand it, if hair is -- falls out  
9   of your scalp naturally, the root is usually agitated,  
10   is that correct?

11          A   Yeah, that's one way of doing it. If I can use  
12   the blackboard I could show you.

13          Q   Sure.

14               (Whereupon, the witness drew a diagram on the  
15   blackboard.)

16          A   A hair that's not totally attached to the  
17   follicle it's sitting in the follicle because what  
18   happens is it's attached down here (indicated). It  
19   separates and it stops growing, and it's just sitting in  
20   the follicle, and anything it rubs against will cause it  
21   to fall out, or if you take a shower or whatever. You're  
22   always shedding hair. Twenty percent of your hair is in  
23   the dormant phase, and it stays dormant for a year to a  
24   year-and-a-half depending on the person, and then it  
25   starts growing again. If it hasn't rubbed against

1 something in the year, year-and-a-half, another hair will  
2 start growing underneath it and eventually push it out.

3 (Whereupon, the witness drew another diagram on  
4 the chalkboard.)

5 A Okay. Hair that's actively growing is actually  
6 attached to -- this is attached to the follicle by small  
7 blood vessels and a few other things. And if you were to  
8 pull that hair out because it's still attached to this  
9 follicle, you get what they call a distended root.  
10 Instead of it looking like that (indicated) it stretches  
11 like a rubber band and it doesn't spring back. And then  
12 you see follicle material also adhering to it. So you  
13 can tell it was forcibly removed from a growing follicle.  
14 It wasn't dormant hair.

15 Q Now, with respect -- as I understand your  
16 explanation, then, if hair is removed from the head in a  
17 natural sort of a way that follicle will atrophy and it  
18 is evidence of the fact that it just fell out naturally?

19 A Yeah, it's called dormant or club hair because  
20 it's got a clubbed root.

21 Q And if you pluck that hair the follicle would be  
22 different, would it not?

23 A Yeah, it would be a stretched, distorted root  
24 with some of the follicle material still adhering to it.

25 Q And if the hair, again, was cut obviously you'd

1 have a different situation again, would you not?

2 A Well, you're not looking at the root end, you're  
3 looking at the tip end, and if it's freshly cut it will  
4 be a sharp line, and if it hasn't been cut for awhile it  
5 will be more of a point because hair wears down at the  
6 tip eventually.

7 Q So if the hair is cut, you don't even see the  
8 root so you're not going to be able to know ----

9 A Oh, you mean if it's -- oh, if it's removed by  
10 cutting the hair. Yeah, you wouldn't see the root.

11 Q Now, I'm going to hand you this copy of the  
12 Division of Forensic Science and ask you to please  
13 examine that document.

14 (Whereupon, the witness examined a document.)

15 Q Now, I think in the beginning you'll see a few  
16 blue lines on there, and I'm sure that wasn't on any  
17 original copy that was issued by your department.

18 A Yes, it's the same document except for the blue  
19 lines you placed on it, yeah.

20 Q And there is a facsimile of your signature at the  
21 bottom of page one, is that correct?

22 A That's correct.

23 Q I'm going to hand you another copy and ask you if  
24 this is the same as the copy you reviewed just a few  
25 seconds earlier without those blue lines.

1 (Whereupon, the witness examined a document.)

2 A Let's see, one page might be missing here.  
3 Sixty-seven, sixty-eight, seventy-six. You're missing  
4 one page.

5 Q What page are you missing?

6 A Well, CB77. I have a copy here too (indicated).  
7 You don't have to make any more.

8 Q CB77?

9 A A through C, yeah.

10 Q Yes, okay.

11 THE COURT: Well, the witness has got a  
12 complete copy.

13 MR. MALTESE: Okay.

14 THE COURT: You're not going to put it in  
15 evidence?

16 MR. MALTESE: Pardon?

17 THE COURT: I said you're not going to put it  
18 in evidence?

19 MR. MALTESE: Why aren't I?

20 THE COURT: Well, because I'm going to let him  
21 testify to it.

22 MR. MALTESE: Pardon?

23 THE COURT: Because I'm going to let him  
24 testify to it.

25 MR. MALTESE: Oh, okay.



1           Q I'm going to direct your attention, if you will,  
2 to the bottom of the first page of the Physical Evidence  
3 Examination Report. And in it you show a lab number CB66  
4 D1-D3.

5           A Right.

6           Q And in it you describe a sealed envelope from  
7 rape kit 2001 containing the victim's pubic hair combing.

8           A Correct.

9           Q And, also, in it you state that you examined this  
10 with a microscope and that one hair that was involved  
11 here was a pubic hair with the same range of microscopic  
12 characteristics as the suspect's known pubic hair.

13          A Right.

14          Q Now, did you examine that hair to see whether or  
15 not it was atrophied, or whether it was cut, or ----

16          A The only -- I examine the hairs, and if they have  
17 a pulled root I note it and report it. If I don't see  
18 one, I don't say anything about it.

19          Q So in this case, then, you didn't see a pulled  
20 root?

21          A No.

22          Q You don't know whether it was natural atrophy or  
23 not?

24          A Well, it's either one or the other. If it  
25 doesn't have a pulled root, then it was a dormant hair

1 with a club or atrophied root.

2 Q Or unless it could be cut too?

3 A Well, if it was cut I would have said that the  
4 root wasn't present because I examine the hair from root  
5 to tip, and if there's a problem with that I'd say  
6 something about it.

7 Q Okay. So, in other words, no notation states  
8 that the root is there? If you make no notation about it  
9 the root is there?

10 A Yeah, I didn't notice anything unusual about the  
11 hair, like it was cut off above the root.

12 Q I direct your attention to page four of the  
13 Physical Evidence Examination Report. I direct your  
14 attention to lab number CB76, and there's mention made of  
15 two hair -- head hair with the same range of microscopic  
16 characteristics as the suspect's known head hair and  
17 another pubic hair with the same microscopic hair  
18 characteristics as the suspect's known pubic hair.

19 Again, no mention of a lack of a root tells you that  
20 there was a root present -- it tells the reader.

21 A Right, because it doesn't have a root I call it a  
22 hair fragment. That's what I normally do.

23 Q And the same would be true with the lab sample  
24 number CB76 which talks about a head hair with the same  
25 range of microscopic characteristics of the suspect's

1 known head hair.

2 A That's correct.

3 Q Now, is it a fair statement, Doctor, to say that  
4 no single -- let me back up. There are, of course,  
5 several other ways of analyzing hair, is there not,  
6 besides microscopic examination?

7 A It depends on the purpose you want to examine the  
8 hair for. I mean, ----

9 Q But there are other way of testing the hair?

10 A For forensic purposes determining if it may have  
11 come from a certain individual or not, is that what  
12 you're talking about?

13 Q Yes.

14 A Ah, the only common ways are -- the most common  
15 is what I did, microscopic. Occasionally they do  
16 enzymes, but it's rare because they don't get fresh  
17 enough hair samples. There's a new method now of doing  
18 DNA of a single hair, but it's experimental and to my  
19 knowledge no one's done it on actual case work, and  
20 that's -- and people have attempted other types of  
21 analysis, including elemental analysis and amino acid  
22 orientation, and none of those have been determined to be  
23 useful.

24 Q How about x-ray emission spectroscopic analysis?

25 A X-ray mission spectroscopy, is that what you're

1       trying to say?

2           Q   Yes.

3           A   Ah, that's an all-metal analysis, and it's  
4       determined not to be useful because the elements in your  
5       hair change with your diet. So you can take a person's  
6       hair and cut it, and if they drastically change their  
7       diet the elements will change in the same because your  
8       hair is growing over a long period of time. So it's very  
9       unuseful because you got to know exactly what the person  
10      ate for the period of time the hair grew, and nobody  
11      knows that so it's not very useful.

12          Q   How about the photo luminescence technique?

13          A   It doesn't give you anything of any value. It  
14      just, again, identifies the protein present just like the  
15      refractive index, and it's all the same in everybody's  
16      hair.

17          Q   Neutron activation analysis?

18          A   Another elemental analysis which doesn't give out  
19      any more information.

20          Q   And so it's your belief that these aren't  
21      necessarily useful tools or reliable scientific means of  
22      examining the hair samples?

23          A   They haven't been proven to be useful. If they  
24      were, people would routinely do them. They've tried them  
25      and found out they weren't, so they don't normally do it.

1 The only -- element analysis is only really useful for  
2 like arsenic poisoning. If someone fed someone arsenic  
3 you'd find it in their hair, and you could relate it to  
4 maybe a certain period of time in hair, you know, so you  
5 might be able to relate when they received the arsenic,  
6 just in real unusual situations like that. But it's not  
7 useful for individually characterizing the hair comparing  
8 one person to the other.

9 Q Well, there is no single existing technique for  
10 the analysis of hair which would prove to be  
11 sophisticated enough to deal conclusively that that hair  
12 came from a certain person, is there?

13 A No, and I never -- I said that all you can do is  
14 determine it came from someone with the same range of  
15 microscopic characteristics. You can't say it  
16 specifically came from that person and nobody else.

17 Q And when you say the same range of microscopic  
18 characteristics, you're looking at those identification  
19 factors that you testified to earlier?

20 A Yes.

21 Q And you're saying they're within the same range,  
22 within all of those variables you talked about?

23 A Correct.

24 Q Basically, to the lay person what you're doing is  
25 using the microscope to empower your eyes to look at the

1 hair sample better, and then you're making an analysis  
2 based on that.

3 A Well, of course. I mean, you can see things in a  
4 microscope you can't see with your naked eye, so you have  
5 to use a microscope. I mean, you can't see what I showed  
6 with your naked eye. If you could you wouldn't be here.  
7 You'd be working for the CIA or something.

8 Q Now, we talked briefly about the number of  
9 characteristics that you're looking at, and you said that  
10 there's some debate as to the number of characteristics  
11 that exist for identification purposes.

12 A Yeah, because they confused it with fingerprints.  
13 With fingerprints you're looking at a specific impression  
14 made by a specific finger and you know what those things  
15 should be because it's one finger you're looking at and  
16 you're not comparing a range of characteristics on more  
17 than one finger.

18 With hair comparison, you're not looking at a  
19 specific impression from a specific hair and saying that  
20 that hair made that impression. It's totally different.  
21 What you're looking at is a range of characteristics that  
22 is common with that person versus a range of  
23 characteristics that's common with another person, and to  
24 see those characteristics you have to look under a  
25 microscope, and that's what you're doing.

1           Q From your own standpoint of the terminology, how  
2 many identification factors were you looking at when you  
3 looked at this hair and you put a number to it?

4           A Well, I think it's silly because, as I said, it's  
5 the overall range of characteristics. I mean, I can  
6 assign seventeen points, like some people did, just to  
7 the pigment distributions and say all seventeen of those  
8 are the same, you know. So we got seventeen points of  
9 comparison and it doesn't make any difference to say  
10 there's seventeen points of comparison or if they have  
11 the same range of characteristics, you're saying the same  
12 thing.

13          Q Likewise, we're talking about a range of  
14 microscopic characteristics. I think you testified that  
15 in addition to there being differences of range in the  
16 width of the hair there's also differences as far as  
17 pigmentation as well?

18          A I just said that, yeah.

19          Q From hair to hair, from part of the body to part  
20 of the body?

21          A The pigmentation pattern is basically the same  
22 with the same type of hair and the same individual. The  
23 head hair pigmentation pattern's the same. It might vary  
24 slightly in color, but the size, shape and distribution  
25 of pigment's the same with the same person. But it's

1     only for head hair. You can't say because the head hair  
2     looks like that the pubic hair's going to look like that.  
3     Each type of hair on the body has a different range of  
4     pigmentation or pigmentation characteristics. So there's  
5     body hair, pubic hair, and head hair. Those are the  
6     three major types of hairs on the human body.

7           Q   Now, when you took the pictures, the slide  
8     pictures that you took of the various hairs, I take it  
9     you used the same exposure, camera exposure, is that  
10    correct?

11          A   Well, it's got an automatic camera.  
12    Unfortunately, I didn't take it. Usually, if I was in  
13    Montana, I would have brought it with me, but I wasn't.  
14    I would have brought you a slide showing what the  
15    microscope looks like.

16          The microscope has a separate -- has a camera that  
17    sits on top of the microscope right next to the binocular  
18    viewing tubes. There's two tubes that get it by  
19    binocuvision, and it goes down a tube, and it  
20    automatically sets the exposure depending on the light  
21    that's there, and it sees the same view that you see when  
22    you look in through the two viewing tubes, and the camera  
23    decides what exposure to do not me. It does it  
24    automatically.

25          Q   Now, the -- so the exposure is set by the camera.



1 You don't handle that manually.

2 A No, all I do is set the speed like you do with  
3 your own camera. You know, it's ASA film speed 200 or  
4 100. I just set the speed and then the camera does all  
5 the rest automatically.

6 Q And as far as the light itself, is that set  
7 automatically as well?

8 A In the microscope or ----

9 Q No, the light for purposes of the illumination of  
10 things of that sort.

11 A Well, that has to be adjusted manually on each  
12 microscope.

13 Q And you try to make them, as you said, as close  
14 to each other as you possibly can.

15 A That's correct.

16 Q Now, were there in your examination any cross  
17 sections taken of hairs?

18 A No.

19 Q Would that have been useful in identifying ----

20 A No.

21 Q --- the characteristics?

22 A No.

23 Q You don't think so?

24 A It's a lot of work to do what you can obviously  
25 see. All a cross section does is it cuts across the hair

1 and you can measure the width of the hair and if it's  
2 circular or not, and you can tell that if you're a  
3 trained person just by looking at the microscopic hair.  
4 You know, you can tell that information, so it's silly to  
5 go make a whole bunch of cross sections of hair for  
6 something that's obviously apparent.

7 Q Now, you have basically stated that this hair, or  
8 at least as to some of these hair samples, they're  
9 indistinguishable from other hairs that you compared them  
10 to, is that correct?

11 A The microscopic characteristics are  
12 indistinguishable. I mean, you can look at one hair and  
13 see it slightly different, remember that slight  
14 difference and say, "Yeah, this hair is different than  
15 the rest," but we're not looking at one slight  
16 difference. We're looking at a range of characteristics  
17 that are generally present in those hairs, and that's  
18 what is indistinguishable.

19 Q And it would be possible, of course, to go among  
20 any random population group and find another person's  
21 hair that would be in the same range of possibilities?

22 A Yes, I said that is possible.

23 Q And you stated earlier you can't state with any  
24 degree of certainty that that hair originated from any  
25 one person,

1           A   No, I can't.

2           Q   And, Dr. Melnikoff, you testified about your  
3 expertise in the areas of chemistry and the forensic  
4 courses that you had taken, your degrees. Do you have a  
5 degree in math?

6           A   I have a minor in math. I took more than enough  
7 math in college to get a minor in it.

8           Q   And when you're normally talking about  
9 statistical happenings or statistical possibilities,  
10 aren't you normally talking about random selection?

11          A   You're confusing the terminology. Random  
12 selection's a term that Darwin used to talk about  
13 evolution, you know, it's not directly applicable to  
14 statistics.

15          Q   Well, you basically came across with a figure of  
16 ninety-nine out of a hundred. I basically think you said  
17 that it would be indistinguishable from another of the  
18 hairs would be a ninety-nine in one hundred chance.  
19 Wasn't that your testimony?

20          A   Yeah, and I based it on -- I'd done, like, over  
21 seven hundred cases, approximately, in Montana, and I had  
22 seven or eight cases where by chance I came across  
23 individuals in the same case I couldn't tell their hair  
24 apart. And several cases included as many as seven or  
25 eight people, so . . .

1           Q   So you're basing that on your own personal  
2   experience then?

3           A   Right, and then I discuss it with other examiners  
4   who do a lot of hair cases, and they came up -- in fact,  
5   we hired a person from Georgia who had a lot of hair  
6   experience, and he uses the same figure I do. I asked  
7   him how he arrived at that, and he said just from the  
8   averages he saw in his laboratory, and he uses one out of  
9   a hundred too, so I think, ah, -- most hair examiners,  
10   and this is involving caucasians. It's a lot less with  
11   other races. I feel comfortable in saying that, you  
12   know.

13          Q   When you define "caucasian", what are you  
14   referring to?

15          A   People that came from Europe that are normally  
16   known as white people or caucasians.

17          Q   What about Mexican Americans?

18          A   They're a mixture of mongoloid and caucasians.  
19   Not all. There are some Mexicans that are pure bred  
20   mongoloid Indians, and there are some Mexicans that are  
21   pure bred Europeans. So the average person that you  
22   associate as a Mexican American is a mixture of Indian  
23   and European blood.

24          Q   But certainly, when you say those figures and  
25   you're talking about someone of Mexican heritage that had

1 perhaps Indian blood in him, could be secured of him, is  
2 that correct?

3 A Well, you're confusing something. If his hair is  
4 apparently enough that you can show that it's mongoloid,  
5 no, it shows enough because mongoloid hair  
6 characteristics are enough different that you can say it  
7 falls in that classification. Then you'd use the  
8 mongoloid, you know, numbers. If his hair looks more  
9 caucasian, then you'd have to use the caucasian  
10 characteristics or numbers, I mean.

11 In my experience, if you're mongoloid, and this is  
12 done a lot less cases but again some results from other  
13 people, it's about one chance in thirty you  
14 can -- two people's hair would be the same. Excuse me,  
15 I'm wrong. But it's very low. One chance in ten. With  
16 black people it's about one chance in thirty. And with  
17 caucasians it's about one chance in a hundred.

18 Q And again, you're basing that on your own  
19 experience?

20 A And other people have found similar results. I  
21 mean, your own experience will tell you if you look at  
22 American Indians their hair looks very similar between  
23 individuals. They don't have as much individual  
24 variation in their hair as caucasians do.

25 MR. MALTESE: I have no further questions.

1 THE COURT: Redirect?

2 MR. THAGGARD: Briefly, Your Honor.

3 REDIRECT EXAMINATION

4 BY MR. THAGGARD:

5 Q Can a person's hair vary from hair to hair on the  
6 body of an individual person?

7 A Well, there's normally variation in the same  
8 hair, and normally it's thinnest at the tip because it  
9 wears and slightly thinner at the root because it's  
10 pinched by the cuticle when it grows out. And,  
11 basically, that's the major variation in diameter of the  
12 hair -- head hair. Pubic hair, on the other hand, is  
13 very variable with the thickest part usually being in  
14 about the center part of the hair and the tip and the  
15 root being considerably narrower.

16 Q Now, we just heard you state that in one chance  
17 out of a hundred that another caucasian randomly chosen  
18 would provide a head hair sample which you could not  
19 provide -- or which you could not distinguish from the  
20 suspect's. What are the probabilities that another  
21 person could provide both pubic hairs and head hairs  
22 which could not be distinguished from the pubic hairs and  
23 head hairs of the subject?

24 A I described that before. You have two separate  
25 locations in the body where you inherit the

1 characteristics separately. As I mentioned, most  
2 people's head hair and pubic hair do not look at all  
3 alike, so the characteristics are separate. And there's  
4 a separate degree of probability for each type of hair,  
5 so it's one chance out of a hundred for each, and to get  
6 both factors present, since they're independent events,  
7 would be the multiplication of the two levels of  
8 probability. So it would be one out of a hundred times  
9 one out of a hundred, which comes out to one in ten  
10 thousand.

11 Q So then are you telling us that one out of every  
12 ten thousand people would be able to match their hair to  
13 both the suspect -- or both their head and pubic hair to  
14 the suspect's to the extent that it would be  
15 indistinguishable?

16 A That's correct.

17 Q Thank you.

18 MR. THAGGARD: I have no further questions,  
19 Your Honor.

20 THE COURT: Recross?

21 RECROSS-EXAMINATION

22 BY MR. MALTESE:

23 Q Have you, Mr. Melnikoff, reviewed any studies  
24 that put a specific number to the odds of this hair being  
25 duplicated in the general population?

1 A Yes, I have.

2 Q Pardon?

3 A I have.

4 Q And do they basically share your view that it's  
5 one in a hundred.

6 A They came up with higher numbers.

7 Q And were there some that came up with lower  
8 numbers?

9 A None that I saw published. The only two papers  
10 that I know that have been published have been published  
11 by a hair examiner that works for the RCMP in Edmonton,  
12 Alberta. His last name is Gaudett. I think his first  
13 name is Paul. And he wrote several articles where he  
14 looked at hair from a hundred people and then looked at  
15 the various variations in their hair and then compared  
16 individual hairs to see the probability of matching two  
17 hairs from two different people. It's a very complicated  
18 process, but he came out one chance in three thousand for  
19 head hair and about one chance in a thousand for pubic  
20 hair, and I think he's over blowing it. I think that's  
21 better than you can really do. So I just base it on my  
22 own experience, and I told you what that was.

23 Q Again, though, it's possible to go in any  
24 population and find head hair that's within the range of  
25 microscopic characteristics?



1 IN THE DISTRICT COURT OF THE SEVENTH JUDICIAL DISTRICT  
2 OF THE STATE OF MONTANA  
3 IN AND FOR THE COUNTY OF RICHLAND  
4

5 STATE OF MONTANA,

6 Plaintiff,

No. DC-89-013

7 -vs-

8 PAUL DEMETRI KORDONOWY,

9 Defendant.  
10

11  
12 TRANSCRIPT OF PROCEEDINGS  
13

14 COURTROOM

15 RICHLAND COUNTY COURTHOUSE

16 SIDNEY, MONTANA

17 JANUARY 16, 17, 18, 1990  
18

19 VOLUME III  
20

21  
22 THE HONORABLE KENNETH R. WILSON DISTRICT JUDGE, PRESIDING  
23  
24  
25

Corrine S. Herdt  
Official Court Reporter  
Richland County Courthouse  
Sidney, Montana 59270

EXHIBIT

55

1 A Julie Long.

2 Q What is your occupation?

3 A I'm employed as a forensic scientist particularly  
4 in the area of forensic serology at the Montana State  
5 Crime Lab which is located in Missoula.

6 Q And could you please tell us what serology is?

7 A Forensic serology is the typing and  
8 identification of blood and other body fluids mainly as  
9 they exist in stained form, and they are submitted to the  
10 Crime Laboratory by a law enforcement personnel from the  
11 state.

12 Q How long have you been involved in this  
13 occupation?

14 A I've been employed there from July of 1980  
15 through July of 1986, and then since February of 1988 to  
16 the present time. So a total of approximately eight  
17 years.

18 Q And what is your formal education in this field?

19 A I have a Bachelor of Science degree in  
20 microbiology from the University of Montana. I also have  
21 a diploma as a certified laboratory assistant from  
22 District 1 Technical Institute in O'Clare, Wisconsin. I  
23 have taken specialty classes, two classes from the FBI  
24 Academy in Quantico, Virginia, in blood stain analysis, a  
25 course also in blood stain analysis from the Serological

1 you to identify them for us.

2 Did you have occasion to receive from  
3 Arnold Melnikoff in 1989 any of the evidence taken from

4 [REDACTED], [REDACTED]?

5 A Yes, I did.

6 Q Okay. And what evidence was given to you by  
7 Mr. Melnikoff?

8 A The sexual assault kit that was done at the  
9 hospital and clothing and bedding that was submitted.

10 Q And when was that given to you?

11 A On the 15th of February, 1989.

12 Q And in the things you received on the 15th of  
13 February of 1989, I'm now handing you State's Exhibit 4.  
14 Can you identify that?

15 A State's Exhibit 4 is a sexual assault kit. It's  
16 kit number is 2001. It's labeled with our case number  
17 assigned by the laboratory, which is 89-234, and it has  
18 my laboratory number and seal on it and signature.

19 Q And I'm handing you State's Exhibit No. 11, and  
20 can you identify that for us?

21 A State's Exhibit 11 is a sealed, partially sealed  
22 paper sack which, again, has my seal and signature on it,  
23 and it's labeled as being sheets.

24 Q I'm now handing you State's Exhibit 8. Can you  
25 identify that for us?

1 seal and signature, and it is the pillow case from the  
2 bed.

3 Q I'm now handing you State's Exhibit 5. Can you  
4 identify that?

5 A Number 5, again, is a sealed paper sack labeled  
6 as vacuum, bathroom.

7 Q I'm now handing you State's Exhibit 7. Can you  
8 identify that?

9 A State's Exhibit 7 is a slide box containing  
10 microscope slides prepared by Mr. Melnikoff labeled as  
11 Richland County Sheriff's Office Case 89-234.

12 Q I'm now handing you what's marked as State's  
13 Exhibit 18. Can you identify that?

14 A Exhibit 18 is a sealed letter envelope which has  
15 my writing on it, 89-234, panties, number 10.

16 Q I'm now handing you State's Exhibit 19. Can you  
17 identify that?

18 A Number 19, again, is a white letter envelope with  
19 my writing on it, hair from pillow case, number 6.

20 Q And I'm now handing you State's Exhibit 20. Can  
21 you identify that?

22 A Number 20 is a white letter envelope again with  
23 my writing on it, 89-234, hair from pillow case, number  
24 7.

25 Q Were these received on the same date?

1 Q And were those subsequently returned to you?

2 A Yes.

3 Q And when were they returned to you?

4 A On July 26th of 1989.

5 Q And were they sealed at that time?

6 A Yes, they were.

7 Q And what did you do with them then?

8 A I assigned them to -- I assigned the sealed  
9 evidence over to Laurie Moffit, the evidence technician,  
10 and she returned them to the Sidney Police Department via  
11 UPS.

12 Q And were those received?

13 A Yes, they were.

14 Q And are your seals on all of these items?

15 A Except for the vacuumings, yes, they are.

16 Q And those seals on the vacuumings belong to?

17 A Mr. Melnikoff.

18 Q Okay. And have any of these seals been broken,  
19 your seals?

20 A No.

21 MR. THAGGARD: Your Honor, I move to fully  
22 admit all of these items. I'll read them off for the  
23 record.

24 THE COURT: Is there any objection?

25 MR. MALTESE: Foundation.

1 A Yes, it was.

2 Q And what did you do with it?

3 A Ah, that evidence -- the kit was opened and I  
4 analyzed the evidence that was pertinent to serology.

5 Q And then what did you do?

6 A The kit was signed to Mr. Melnikoff for hair and  
7 fiber comparison.

8 Q And then what was done with the kit?

9 A He signed it back to myself.

10 Q On what date?

11 A On April 11th, 1989.

12 Q And what did you do with it?

13 A I returned it to the Sidney Police Department on  
14 June 30th, 1989.

15 Q And did you seal it before you returned it?

16 A Mr. Melnikoff sealed it after he -- I sealed it  
17 when I gave it to Mr. Melnikoff, and then he sealed it  
18 when he was finished with the hair comparison.

19 Q Did he then hand it back to you?

20 A Yes.

21 Q And was his seal on it at that time?

22 A Yes, it was.

23 Q Is his seal on it now?

24 A Yes, it is.

25 MR. THAGGARD: Your Honor, I move to admit

1 Laurie Moffit, and she returned it.

2 Q And is your seal present on this now?

3 A Yes, it is.

4 MR. THAGGARD: Your Honor, I move for admission  
5 of State's Exhibit 25.

6 THE COURT: Any objection?

7 MR. MALTESE: Foundation.

8 THE COURT: Did Mr. Baker do anything with it?

9 THE WITNESS: No, he did not.

10 THE COURT: It'll be admitted.

11 Q I'm now handing you what is marked as State's  
12 Exhibit No. 9. Can you identify that for us?

13 A State's Exhibit No. 9 is a sealed envelope, step  
14 number 7, which is a saliva sample, labeled as coming  
15 from [REDACTED], and it contains my initials and seal  
16 and the case number 89-234.

17 Q When was this received by the Crime Lab?

18 A On the 17th of March, 1989.

19 Q And who received it?

20 A Mr. Jim Hutchison.

21 Q And did he do any work on it?

22 A No, he did not.

23 Q And then what was done with it?

24 A It was signed to myself.

25 Q And was it sealed at that point?

1 1989.

2 Q And who was it received by?

3 A Mr. Jim Hutchison.

4 Q Did he perform any work on it?

5 A No, he did not.

6 Q Then what was done with it?

7 A It was signed to me, and I did the blood typing  
8 on it, and sealed it, and returned it to Laurie Moffit to  
9 return to the Police Department.

10 Q Is it now sealed with your seal?

11 A Yes, it is.

12 MR. THAGGARD: Your Honor, I move for admission  
13 of State's Exhibit 10.

14 THE COURT: Any objection?

15 MR. MALTESE: Foundation.

16 THE COURT: It'll be admitted.

17 Q Did you have occasion to analyze the vaginal  
18 samples of [REDACTED]?

19 A Yes, I did.

20 Q And what did that analysis entail?

21 A In an alleged sexual assault case we receive the  
22 sexual assault kit from the investigating agency and then  
23 we attempt to determine if there is seminal fluid present  
24 in the samples that are submitted. In this case there  
25 were vaginal samples, oral samples and rectal samples.



1 Q And what is her blood type?

2 A Her blood type is ABO type O.

3 Q And what did you type the defendant's blood?

4 A He is also ABO type O.

5 Q Okay. And what is an H secretor?

6 A In a case involving sexual assault where you're  
7 going to have body fluids present and not necessarily  
8 blood, it's important that we determine the secretor  
9 status of the individuals involved. That means  
10 approximately eighty percent of the population secrete  
11 their blood type substances in their body fluids.

12 For example, if your ABO type is A and you are a  
13 secretor then, if you're female, in your vaginal fluid,  
14 in your saliva, in your sweat, you are secreting A  
15 Substance. You also secrete H Substance. H Substance is  
16 just the basic sugar that the A and the B blood types are  
17 made from in your body.

18 If you are an ABO type O, then you secrete the H  
19 Substance. If you are type B, then you secrete the B  
20 Substance. And so if you are a male, then in your  
21 seminal fluid, and in your saliva, and in your sweat, you  
22 are secreting your corresponding ABO type. So that is  
23 why it is important in an alleged sexual assault case to  
24 determine if the people are secretors.

25 Q Is [REDACTED] a secretor?

1 A No, he couldn't.

2 Q Did you type [REDACTED] blood type?

3 A Yes, I did.

4 Q And is Mr. [REDACTED] a secretor?

5 A Yes, he is.

6 Q And what does he secrete?

7 A He's an A secretor, so he secretes A Substance  
8 and H in his seminal fluid, and saliva, and sweat.

9 Q Mr. [REDACTED] had had sexual intercourse with  
10 Miss [REDACTED] on July 23rd, 1987, two days prior to the  
11 taking of these vaginal samples on the morning of July  
12 25th. Could Mr. [REDACTED] be responsible for the presence of  
13 the A secretion?

14 A Yes, he could.

15 Q Is there anything else that could be responsible  
16 for the presence of the A secretion?

17 A Yes, in this case there was a large amount of  
18 bacteria, which I noted, and it has been reported that a  
19 large amount of bacteria can give you an A Substance  
20 reading in your analysis because your ABO substances are  
21 sugars, and bacteria also produce sugars.

22 Q Based on your analysis of [REDACTED] blood, based on  
23 your analysis of Mr. Kordonowy's blood, based on your  
24 analysis of [REDACTED] blood, and based on your  
25 analysis of the vaginal sample, can Mr. Kordonowy be

1 A Yes, he could be.

2 Q Could [REDACTED] be responsible for the H  
3 Substance?

4 A Yes.

5 Q Did you examine the blue panties found in the  
6 kitchen, which would be item number nine?

7 A Yes, I did.

8 Q And what did that examination reveal?

9 A That item was examined in a similar fashion, and  
10 a semen stain was also found in panty number nine.

11 THE COURT: Now, you should, to make a record  
12 now, that's Exhibit 14, is it not?

13 MR. THAGGARD: Yes, Your Honor.

14 THE COURT: And then your prior testimony  
15 referred to Exhibit 8, I believe. Is that correct?

16 MR. THAGGARD: That's correct, Your Honor.

17 THE WITNESS: Okay.

18 THE COURT: Go ahead.

19 Q What did the analysis of Exhibit 14, the panties  
20 found in the kitchen, reveal?

21 A That there was a semen stain present.

22 Q And were there any secretions present in that  
23 semen stain?

24 A Yes, there were; A and H Substances were present.

25 Q Could [REDACTED] have been responsible for the A

1 Q And how many times have you testified?

2 A Oh, approximately forty.

3 Q How many times have you testified for the State  
4 of Montana?

5 A It's all been for the State of Montana.

6 Q Now, as I understand it, you also -- you prepared  
7 a Physical Evidence Examination Report in connection with  
8 the analysis of the items of evidence that you examined?

9 A Yes.

10 Q And, as I understand your testimony, [REDACTED]  
11 is ABO type A and a secretor?

12 A Yes, that's correct.

13 Q Now, ABO type refers to blood?

14 A Yes.

15 Q And secretor talks about certain type of  
16 characteristics of that -- character of blood?

17 A It refers to what you expect in the body fluids.

18 Q If you are an ABO Type A and a secretor, as  
19 Mr. [REDACTED] is, and if you examine these evidence slides  
20 and whatnot that you examine, you could expect to see an  
21 A Substance and an H Substance in the evidence samples,  
22 isn't that correct?

23 A Yes.

24 Q And if Miss [REDACTED] were ABO Type O and a secretor,  
25 you could expect to see an A Substance ----

1 Substance, for example, you can say with some source of  
2 precision that Mr. Kordonowy's seminal fluid probably  
3 could not be found on that piece of evidence, is that  
4 accurate?

5 A If there was just the A and no H?

6 Q Just an A alone.

7 A Yes.

8 Q If there was an A and an H Substance, you can't  
9 rule out the possibility that Mr. Kordonowy may have  
10 contributed the H Substance?

11 A That's correct.

12 Q On the other hand, you can also not rule out the  
13 H Substance may have come from Miss [REDACTED] herself,  
14 Mr. Kordonowy, or Mr. [REDACTED]. Each of those people could  
15 have had an H Substance or contributed an H Substance to  
16 the evidence sent, is that accurate?

17 A Yes, that's correct.

18 Q Now, the A Substance, as I understand it, could  
19 have also been present because of the action of bacteria  
20 on the sample.

21 A That's a possibility, yes.

22 Q And were you aware of when these samples were  
23 taken?

24 A I was when I did the examination, yes.

25 Q If I refreshed your memory and said that it was

1 A Yes, that's correct.

2 Q And then, as I understand it, after you made the  
3 determination that there was seminal fluid, and I guess  
4 to clarify it, seminal fluid would be an emission from the  
5 male sex organ?

6 A That's correct, yes.

7 Q One of the components of seminal fluids would be  
8 sperm?

9 A Yes, that's correct.

10 Q And so then you would have looked at all these  
11 various items of clothing, bedsheets and whatever else,  
12 and seen if you could have detected sperm?

13 A Yes.

14 Q And, in fact, I see that you prepared a Physical  
15 Evidence Report, and you have prepared a little chart,  
16 and you described in the chart the various types of tests  
17 and things that you analyzed, and then in one column you  
18 put a description of whether or not any sperm was  
19 present.

20 A That's correct.

21 Q And, as I understand it, basically there was only  
22 one piece of clothing in which a few head of sperm were  
23 present.

24 A That's correct.

25 Q And I believe you also testified the sperm has a

1 that article of clothing?

2 A No, there isn't.

3 Q Now, I believe, then, your testimony with respect  
4 to three days related, perhaps, to the taking of swabs at  
5 the hospital, is that accurate?

6 A Yes, I believe I said that seminal fluid, ah, the  
7 outside range for seminal fluid generally is -- it can  
8 remain up to three days in the vaginal cavity depending  
9 on the physical activity of the person, the amount of  
10 seminal fluid that's there to begin with. There are many  
11 factors that affect what you would find three days later.

12 Q So, in other words, to the extent that there was  
13 seminal fluid located on any of these specimens or  
14 articles of clothing, if the victim had had sexual  
15 relations at any time within that three-day period of  
16 time, it could have come from that source.

17 A Yes, that's correct.

18 Q Now, my understanding is that you examined two  
19 vaginal swabs, a vaginal aspirate, an oral swab, a rectal  
20 swab and two different panties, is that correct?

21 A Yes, that's correct.

22 Q And with respect to all of these items, it was  
23 only in the panties that a few heads of sperm were  
24 identified.

25 A That's correct, yes.

1 A, but you don't show the presence of H?

2 A Yes, that's correct.

3 Q So with respect to those three samples, then, we  
4 can rule out Mr. Kordonowy?

5 A For those particular samples, yes.

6 Q Yes. Then, with respect to the vaginal swab  
7 which shows the A and the H Substance, we cannot rule out  
8 Mr. Kordonowy because of the presence of the H Substance.

9 A That's correct, yes.

10 Q But we can also -- cannot rule out that the  
11 originator of that H Substance was Miss Bergh herself as  
12 an ABO Type O?

13 A That's correct, yes.

14 Q Or Mr. [REDACTED] as an ABO Type A?

15 A That's correct.

16 Q And, likewise, in the examination of the two  
17 pairs of panties which show the substance of A and H,  
18 again, we cannot rule out Mr. Kordonowy but the H  
19 Substance could have been contributed by either Mr. [REDACTED]  
20 or Miss [REDACTED] herself?

21 A That's correct, yes.

22 Q Is it a fair statement, Miss Long, to conclude  
23 that the examinations and testing do not conclusively  
24 show that any semen detected from the samples can be  
25 traced to Mr. Kordonowy?



1 A Yes, he could.

2 Q Could [REDACTED]?

3 A He could contribute the H. A person who is an A  
4 secretor can contribute A or H. A person who is a B  
5 secretor can contribute B or H. And an O secretor  
6 secretes H.

7 Q Did any of the vaginal samples, or vaginal swabs,  
8 contain H sample?

9 A Yes, they did.

10 Q Okay. And did State's Exhibit 8, the panties  
11 from the bedroom, contain H sample?

12 A Yes, it did.

13 Q And did State's Exhibit 14, the panties from the  
14 kitchen, contain the H Substance?

15 A Yes, it did.

16 Q And can Mr. Kordonowy be excluded as the doner of  
17 the H substance in any of those exhibits?

18 A No, he can't.

19 MR. THAGGARD: Thank you, Your Honor. I have  
20 no further questions.

21 THE COURT: Recross?

22 MR. MALTESE: Just one question.

23 RECROSS-EXAMINATION

24 BY MR. MALTESE:

25 Q I believe you stated that twenty-nine percent of

1 THE COURT: Is this witness released from her  
2 subpoena?

3 MR. THAGGARD: Yes, Your Honor.

4 THE COURT: You're free to go.

5 THE WITNESS: Thank you.

6 MR. THAGGARD: Thank you, Mrs. Long.

7 THE COURT: You may leave or stay.

8 THE WITNESS: I'll leave, thank you.

9 THE COURT: Okay.

10 MR. THAGGARD: Your Honor, at this point the  
11 State rests.

12 THE COURT: Mr. Maltese?

13 MR. MALTESE: Thank you, Your Honor.

14  
15 OPENING STATEMENT

16 BY MR. MALTESE:

17 Ladies and gentlemen of the jury, the State has had  
18 it's opportunity to present it's case, and I hope that  
19 all of you remember that the case isn't concluded until  
20 everyone has had an opportunity to present evidence to  
21 this Court.

22 In our procedure I have an opportunity, here, to  
23 give you an overview of the type of evidence that we have  
24 an opportunity to present at this time.

25 Now, my client, Mr. Kordonowy, is going to avail