

DAVID SHAWN POPE

2 OF 3

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

IN THE 204TH JUDICIAL DISTRICT COURT
DALLAS COUNTY, TEXAS

THE STATE OF TEXAS
VS.
DAVID SEAMER POPE

X
X
X
X
X

CAUSE NO. F85-98755-MQ

PAGES 1-319
STATEMENT OF FACTS
VOLUME _____ OF _____ VOLUMES

A P P E A R A N C E S:

HON. HENRY WADE,
Criminal District Attorney
Dallas County, Texas

BY: MS. KIM GILLES
Assistant District Attorney
and
MR. DAN HACCOD,
Assistant District Attorney

REPRESENTING: THE STATE OF TEXAS

HON. CURTIS D. CLOVER
Dallas, Texas

REPRESENTING: THE DEFENDANT

BE IT REMEMBERED THAT ON THE 4th day of February,
1986, the above styled hearing came on to be heard before
the HONORABLE RICHARD MAYS, Judge of the 204th Judicial
District Court of Dallas County, Texas, and a jury, and
that the following is a true, accurate and complete
transcript of the proceedings had:

COPY

FILED
APR 16 1986
BILL LONG
DIST. CLERK, DALLAS CO., TEXAS
DEPUTY

OUTSIDE THE PRESENCE AND HEARING OF THE JURY:

1 Q Would you state your name for the record, please?

2 A Larry Howe Williams.

3 Q You will need to speak up once we bring the jury in,
4 Mr. Williams.

5 A Yes, ma'am.

6 Q How are you employed, sir?

7 A By the Houston Police Department as a voice
8 identification examiner.

9 Q How long have you been with the Houston Police
10 Department?

11 A Since 1972.

12 Q About fourteen years or so?

13 A Yes, ma'am.

14 Q And you have indicated, I think, what it is you do
15 for the police department?

16 A Yes.

17 Q All right. You are in the identification section
18 and specifically deal with voice identification and
19 fingerprint identification?

20 A Yes, ma'am, I am.

21 Q What is voice identification?

22 A Voice identification is a scientific process where
23 an unknown tape recording of an individual can be positively
24 identified or eliminated through the use of the sound
25 spectograph.

OUTSIDE THE PRESENCE AND HEARING OF THE JURY:

1 Q I am going to pick on you at this point and get you
2 used to speaking up. I will ask you to speak up.

3 A Okay.

4 Q In performing that scientific process and
5 comparison, do you use equipment?

6 A Yes, I do.

7 Q Let me show you what has been previously introduced
8 and admitted into evidence in a hearing and what is marked as
9 hearing State's Exhibits 1, 2 and 3. Would you tell the Judge
10 if this is the equipment you used in doing this comparison?

11 A Yes, this is my equipment that was used in this
12 particular incident.

13 Q All right. In this particular incident, did you
14 compare the tapes that are numbered here as State's Exhibit 13
15 and State's Exhibit No. 22?

16 A May I see those tapes, please?

17 Q I'm sorry.

18 A Yes, they are.

19 Q Those are the ones you did comparisons on?

20 A Yes.

21 Q What we call spectrographic comparisons?

22 A Yes, ma'am.

23 Q Before we talk about the procedure you followed,
24 would you tell the Judge about your training and
25 qualifications in this field?

OUTSIDE THE PRESENCE AND HEARING OF THE JURY:

1 A I began working in the field in March of 1983 when I
2 attended a school and I was approved by the International
3 Association of Voice Print Identification in Sommerville, New
4 Jersey. The training consisted of a two week school at that
5 location, then two years of on the job training, doing
6 training cases and actual cases.

7 Q At this particular school that you attended, would
8 you briefly just describe for the Judge the type of training
9 that you received, what you were shown there at the school?

10 A We were shown and told various aspects of physiology
11 and magnetic tape recording and given numerous trials -- test
12 trials involving twins, the comparison between fathers and
13 sons, mothers and daughters, grandfathers, tests which were
14 made ten and twenty years apart to determine whether or not we
15 had the capability of doing this particular work.

16 Q In other words, you were given tapes to compare and
17 the people there knew the proper response and you were tested
18 to see if you were capable of determining things correctly?

19 A Yes, ma'am, we were.

20 Q Determining the identity or eliminating the
21 identity, correct?

22 A Yes, that is correct.

23 Q Have you completed all of the training procedures
24 and processes that are recommended or that are approved by the
25 International Association of Voice Identification?

OUTSIDE THE PRESENCE AND HEARING OF THE JURY:

1 A Yes, I have.

2 Q And are you a member of that association?

3 A Yes, I am.

4 Q And what other associations are you a member of?

5 A The International Association for Identification,
6 which voice print identification is a subsection thereof, the
7 Texas Division of the International Association for Voice
8 Print Identification, the Southeast Texas Division for Voice
9 Identification and the Texas Law Enforcement Education
10 Association.

11 Q And in addition to that, do you sometimes lecture on
12 this topic, that is, voice print identification?

13 A Yes, I have.

14 Q Now, you have mentioned that you have been doing
15 this for the Houston Police Department since, I think you said
16 1983; is that correct?

17 A Yes, that is correct.

18 Q And would you say in your time there in the Houston
19 Police Department that you have done this on -- that you have
20 been involved in spectrographic identifications or
21 eliminations on few or many occasions?

22 A Many occasions.

23 Q If I were just to ask you to approximate a number of
24 spectrograms that you have worked with and viewed, could you
25 give the Judge a rough estimate on something like that?

OUTSIDE THE PRESENCE AND HEARING OF THE JURY:

1 A A few thousand.

2 Q Now, in addition to the testing that you received up
3 there after you had gone through the course, were you taught a
4 specific procedure in your courses and in your training on how
5 to conduct a spectrographic analysis and how to produce
6 spectrograms?

7 A Yes, a procedure on how to operate the sound
8 spectrograph itself and then how to make a positive
9 identification or elimination based upon a criteria.

10 Q And let me ask you this: In this particular case
11 involving the tapes that I have elicited for the record and
12 the numbers there, these two tapes, did you follow this set
13 procedure in this case?

14 A Yes, I did.

15 Q Would you then explain to the Judge the procedure
16 that you followed in this case which is the set procedure, and
17 you can refer to those diagrams there of the instrument if you
18 need to and fill him in on what you did in this particular
19 case.

20 A The first process is to listen to the two tapes,
21 both the known and unknown, to determine if their quality is
22 such that can be used in this specific identification or
23 elimination process.

24 Q And just let me interrupt you. The quality of these
25 tapes, in your opinion, is what?

OUTSIDE THE PRESENCE AND HEARING OF THE JURY:

1 A Good.

2 Q Go ahead.

3 A These two tapes were rerecorded onto a reel-to-reel
4 tape recorder which is built into the sound spectrograph.

5 Then, by utilizing the sound spectrograph, several
6 spectrograms were made, and then after a complete and thorough
7 examination of both the known and unknown spectrograms
8 produced from the other tape recordings, it was possible to
9 determine a positive identification in this case.

10 Q All right. Now, Mr. Williams, the Judge has heard
11 quite a bit from Dr. Truby about this. He has seen these
12 diagrams and he has seen the actual way in which the
13 spectrograms are produced. Did you produce these
14 spectrograms, that being State's Exhibits 7 and 8?

15 A Yes, I did.

16 Q In addition to some others that Dr. Truby has
17 already shown to him and talked with him about?

18 A Yes, ma'am.

19 Q Let me ask you if you had an opportunity to do a
20 comparison yourself?

21 A Yes, ma'am, I did.

22 Q And if you formed an opinion?

23 A Yes, ma'am, I have.

24 Q And were you able to eliminate or identify the
25 speakers in State's Exhibits No. 13 and 22? By that I mean

OUTSIDE THE PRESENCE AND HEARING OF THE JURY:

1 were you able to state whether or not they were one in the
2 same person?

3 A Yes, I was.

4 Q And what is your opinion as to that?

5 A My opinion is that the voice of the unknown -- the
6 voice which produced the unknown tape recording was the same
7 as the voice which was produced in the known tape recording.

8 Q Let me ask you if it is a part of your procedure and
9 if you followed it in this case -- specifically, so that the
10 Judge will know on this issue, if it is part of this procedure
11 to be sure -- to determine that the instrument is operating
12 properly before you begin your test experiments and the
13 running of the spectrograms themselves?

14 A Yes, this instrument is calibrated prior to usage in
15 an actual case.

16 Q Did you do that calibration in this case with this
17 instrument before doing the spectrographic analysis of these
18 two tapes?

19 A Yes, I did.

20 Q And did you make a determination prior to doing the
21 spectrograms as to whether or not the instrument was properly
22 calibrated?

23 A Yes, I did.

24 Q And in addition to that, are you able to tell
25 whether or not it is properly calibrated by the actual

OUTSIDE THE PRESENCE AND HEARING OF THE JURY:

1 spectrograms that the spectrographic equipment produces doing
2 the analysis or doing the production of these spectrograms?

3 A Yes, I can.

4 Q In other words, it is indicative of the condition of
5 the instrument?

6 A Yes.

7 Q When I say, "It," I refer to the spectrograph?

8 A Yes.

9 MS. GILLES: I will pass the witness.

10 CROSS-EXAMINATION

11 BY MR. GLOVER:

12 Q Mr. Williams, what is your educational background?

13 A I graduated from high school at Denison High in
14 Denison, Texas. I have attended the Crayson County Junior
15 College and then I attended college at the University of
16 Houston.

17 Q May we assume by that that you do not have a degree
18 from college?

19 A That is correct.

20 Q Okay. What was your particular area of study when
21 you were in junior college and in the other school?

22 A Psychology primarily at junior college and speech
23 communication at the University of Houston.

24 Q How many months did you go to the University of
25 Houston?

OUTSIDE THE PRESENCE AND HEARING OF THE JURY:

1 A Four.

2 Q Four months?

3 A Three or four.

4 Q Three or four months at the University of Houston?

5 Okay. You indicated that you arrived at an opinion concerning
6 these tapes. Is that opinion based on any sort of
7 probabilities?

8 A Probabilities?

9 Q Yes.

10 A No, not in this case, not in the manner in which I
11 was taught to do this.

12 Q You are saying that in your opinion there is no
13 reservation in your opinion about your determination of these
14 tapes being one in the same person?

15 A No, sir.

16 Q No reservation whatsoever?

17 A No.

18 Q No probabilities of inaccuracy?

19 A No.

20 Q Okay. You are just one hundred percent sure?

21 A Yes.

22 MR. GLOVER: That is all we have of this witness,
23 Judge.

24 MS. GILLES: That is all we have on the hearing,
25 Judge, of this witness.

OUTSIDE THE PRESENCE AND HEARING OF THE JURY:

1 MR. GLOVER: Are you ready to hear from me about
2 this testimony, Judge?

3 THE COURT: No, I take it now you are going to try
4 to introduce the spectrograms and have the expert testimony
5 about it elicited in front of the jury; is that correct,
6 Counsel?

7 MS. GILLES: Yes.

8 THE COURT: Any objection?

9 MR. GLOVER: Yes. May I ask him just one more
10 question?

11 THE COURT: Sure.

12 BY MR. GLOVER:

13 Q Have you talked to Dr. Truby in the last few days?

14 A Yes.

15 Q When?

16 A Last night, as a matter of fact.

17 Q Where was he?

18 A He was at my sister's. He was spending the night at
19 my sister's in Garland.

20 Q Were you there?

21 A Yes, sir, I was there.

22 Q The two of you were together?

23 A Yes, sir, we were together.

24 Q Okay. And did you talk -- you talked about
25 potential questions that might be asked you here today?

OUTSIDE THE PRESENCE AND HEARING OF THE JURY:

1 A They did come up on occasion.

2 Q Okay. It was really a matter of convenience for you
3 two being there and spending the night together last night to
4 discuss the case, was it not?

5 A Yes.

6 Q Did Dr. Truby tell you anything about what the
7 Defense lawyer might be asking you?

8 A No.

9 Q Never mentioned anything like that?

10 A Not to my recollection.

11 Q What did he tell you?

12 A We discussed --

13 MS. GILLES: I object to hearsay and relevancy.

14 BY MR. GLOVER:

15 Q About this case?

16 A About this case, nothing that I can remember.

17 Q Okay. Did you talk about anything concerning your
18 expert testimony?

19 A In this particular case, my expert testimony in this
20 particular case?

21 Q You talked about other cases; is that what it was?

22 A No, we talked about research in other areas.

23 Q Other areas of what?

24 A His past life and other works that he has done.

25 Q Okay. Never mentioned the case that is on trial

OUTSIDE THE PRESENCE AND HEARING OF THE JURY:

1 here today?

2 A Not to my recollection, sir.

3 Q You are sure about that?

4 A Yes, as sure as I possibly could be.

5 Q Okay. Where is Dr. Truby today?

6 A He should be here in the building.

7 Q Okay.

8 THE COURT: Any objection to any of this testimony,
9 Mr. Glover?

10 MR. GLOVER: Yes. The objection goes that the
11 process or the whole concept of spectrography, I submit to the
12 Court has not been legitimately established as being a
13 science, and particularly by this witness. Further, that the
14 proper predicate has not been laid for testimony concerning
15 procedures such as the use of the spectrograph.

16 THE COURT: Sustained.

17 MS. GILLES: May I have the objection repeated,
18 please, or read by the court reporter, Judge?

19 THE COURT: What?

20 MS. GILLES: May I have Curtis' objection read back
21 to me, please?

22 THE COURT: He said something about you hadn't
23 proved a proper predicate on the use of the spectrograph.

24 MS. GILLES: All right. May I take the witness
25 again for questioning?

OUTSIDE THE PRESENCE AND HEARING OF THE JURY:

1 THE COURT: Oh, sure.

2 MS. GILLES: Thank you.

3 REDIRECT EXAMINATION

4 BY MS. GILLES:

5 Q In terms of what has been marked as hearing State's
6 Exhibit No. 1, 2 and 3, the instrument there, you indicated
7 that you calibrated this instrument prior to using it?

8 A Yes, ma'am.

9 Q And that you --

10 THE COURT: I have already heard that, Counsel.

11 MS. GILLES: I am getting there.

12 BY MS. GILLES:

13 Q Did you form a conclusion as to the calibration of
14 that instrument?

15 THE COURT: He has already testified to that,
16 Counsel.

17 BY MS. GILLES:

18 Q Was it working properly?

19 A Yes, it was.

20 THE COURT: He has already testified to that.

21 BY MS. GILLES:

22 Q And let me ask you if this is equipment that you
23 have used on few or many occasions?

24 A On many occasions.

25 Q It is equipment that you used prior to this

OUTSIDE THE PRESENCE AND HEARING OF THE JURY:

1 spectrographic analysis and afterward?

2 A That is correct.

3 Q And the spectrograms that have been introduced into
4 evidence for the Judge in an earlier hearing through Dr. Truby
5 were spectrograms produced by the spectrograph; is that
6 correct?

7 A Yes.

8 Q And it is from those that you made your analysis and
9 testified as to the results?

10 A Yes, that is correct.

11 Q Now, in specific, the spectrograms that you made
12 have what -- what are they made from, let me ask you that, an
13 unknown set of spectrograms and a known set?

14 A Yes, the spectrograms are produced from the tape
15 recording which was used in the process of transferring the
16 magnetic information from both the known and unknown into an
17 electrical impulse which was burned into the paper as a
18 process of the spectrograph and this was done on each
19 spectrogram.

20 Q And would it be fair to say it was done with the
21 voice of the unknown suspect or unknown tape and then again an
22 entire set done with the known?

23 A That is correct.

24 Q And is the calibration of the spectrograph the only
25 requirement or means of testing it prior to actually making

OUTSIDE THE PRESENCE AND HEARING OF THE JURY:

1 the spectrograms?

2 A That is correct, yes.

3 Q And is doing that calibration a fail safe function
4 in that it indicates to you whether or not the instrument is
5 working correctly at the time?

6 A Yes, when I received the spectrograph, I received a
7 master set of calibrations. These were made by the
8 manufacturer and they indicate a properly working instrument.
9 Then, prior to case, there is a way in which you can run your
10 own calibration through your own instrument and verify what
11 you have with the master set that you receive from the
12 instrument. This was done in this particular case, and
13 therefore it verified the calibration of the instrument.

14 Q In other words, the master set shows you --
15 indicates to you exactly what the spectrograph should be
16 producing?

17 A That is correct.

18 Q And once you calibrated the instrument, it is your
19 testimony that that is the level at which the spectrographic
20 instrument was operated?

21 A Yes, that is correct.

22 MS. GILLES: We will reoffer this line of testimony.

23 MR. GLOVER: Same objection.

24 THE COURT: Well, I am going to add to this
25 predicate that which Dr. Truby stated with regard to how the

OUTSIDE THE PRESENCE AND HEARING OF THE JURY:

1 machine works and its theory. That, of course, is absolutely
2 necessary for there to be a predicate. However, I'm going to
3 add that to this.

4 I believe -- is this a picture of your machine?

5 THE WITNESS: Yes, sir.

6 THE COURT: All right. So he testified that is the
7 way that machine worked, and I guess there is enough in all of
8 this to prove it up. I am going to overrule you at this time.

9 MR. GLOVER: I will make the additional objection
10 that his expertise in this particular area and his
11 qualifications as a scientific expert concerning spectrography
12 and its results, the spectrograms, as well as the examining of
13 the spectrograms, his background has not been sufficient to
14 allow him to testify.

15 THE COURT: I will sustain the objection with regard
16 to any analysis. However, I will overrule you as to the
17 taking of spectrograms and the operation of the machine.

18 MS. GILLES: May I ask the witness a few more
19 questions for the record?

20 THE COURT: In regard to what?

21 MS. GILLES: Well, Your Honor, the purpose of this
22 witness is not to establish that he a biochemist or a
23 scientist or a physicist or a mathematician or an engineer, it
24 is simply to show he is an expert in terms of being an
25 examiner or a technician.

OUTSIDE THE PRESENCE AND HEARING OF THE JURY:

1 I have elicited for the record his training both at
2 the schools established by the International Association for
3 Voice Identification and on the job for three years. He has
4 testified to the Court that he has done analysis of over a
5 thousand spectrograms, and it is the State's position that he
6 is qualified to not only discuss the technical aspects of what
7 he did, but also his opinion or his analysis of the
8 spectrograms that he produced. That is what he does and it is
9 the State's contention that he is not an expert in terms of
10 being a scientist here to explain all of the scientific
11 principles behind the science, but it is the State's position
12 that he is an expert in terms of being an examiner and being
13 an operator, so to speak.

14 THE COURT: I figured all of that out.

15 MS. GILLES: Then I need to know what your ruling is
16 on his ability to testify as to what he did, but his
17 conclusions or opinions, the analysis that he came up with.

18 THE COURT: I sustain the objection with regard to
19 him stating an opinion as to the results of the spectrograms.
20 I overrule the objection as to the taking of the spectrograms
21 and the operating of the machine, and I figured out what the
22 State's position was before you stated it after I had already
23 made my ruling.

24 Bring the jury in.

25 MS. GILLES: Can I have just a minute, Your Honor?

OUTSIDE THE PRESENCE AND HEARING OF THE JURY:

1 I need to instruct the witness on something.

2 (Whereupon, the jury was returned into open
3 court, and the following proceedings were
4 held, in the presence and hearing of the jury.)

5 DIRECT EXAMINATION

6 BY MS. GILLES:

7 Q I will ask you to -- that chair won't scoot so you
8 need to pull that microphone toward you or speak into it
9 loudly enough so the last jurors can hear you. Just pretend I
10 am sitting right back there.

11 A All right.

12 Q State your name for the record, please.

13 A Larry Howe Williams.

14 Q Larry Williams?

15 A Yes, ma'am.

16 Q And would you tell the jury how you are employed?

17 A By the Houston Police Department as a voice
18 identification examiner.

19 Q And are you up here today from Houston?

20 A Yes, I am.

21 Q So that the jury will know a little about you, sir,
22 are you a family man?

23 A [REDACTED]

24 Q And would you tell them about your background in
25 terms of your education and when you went with the Houston

1 Police Department?

2 A I have gone to college at Grayson County Junior
3 College in Denison, Texas and I attended courses at the
4 University of Houston. I joined the Houston Police Department
5 in 1972. In March of 1983 I attended an approved course at
6 Somerville, New Jersey for voice print identification.

7 Q Let me stop you just real quickly there. Would you
8 tell the jury specifically -- you have been with the Houston
9 Police Department fourteen years, you have worked in the field
10 or voice print identification, and you are about to tell us
11 about the training in that area.

12 Before you do that, would you explain to the jury
13 what voice print identification is?

14 A Voice print identification is a process where one
15 can produce spectrograms from an instrument called a sound
16 spectrograph. With this, what can be done is a sample of the
17 unknown is compared to a sample of the known voice.

18 Q Excuse me. A sample of the unknown is compared with
19 a sample of the --

20 A Known voice.

21 Q To what end or for what purpose?

22 A The purpose is to either make an identification or
23 an elimination of the voices.

24 Q Let me ask you then, now that the jury knows a
25 little bit more about what we are talking about, voice print

1 identification, what your training in that area is?

2 A The courses at Sommerville, New Jersey consisted of
3 a comparison of several hundred spectrograms, brief practical
4 knowledge of the operation of audio tape recorders,
5 physiology, and the operation of the spectrograph itself so
6 that other spectrograms can be produced from the sound
7 spectrograph.

8 Q In particular, at this school, would you tell the
9 jury the type of things that you worked with in learning about
10 the process? This was a number of years back when you went to
11 school?

12 A Yes, approximately three years ago.

13 Q All right. What types of things were analyzed and
14 tested on and were shown there at the school?

15 A We were shown several test spectrograms where it was
16 required to determine the elimination or identification of a
17 known voice and unknown voice.

18 Now, that included also the testing of fathers and
19 sons and mothers and daughters and grandfathers and fathers
20 and their sons and individuals over a period of time, ten,
21 fifteen or twenty years, to see if the individual that was
22 receiving this training would have the capabilities once they
23 were through with that training to further their education in
24 this field.

25 Q Let me ask you then when you talked about fathers

1 and sons and daughters and mothers, are you saying that you
2 were working with tape recordings where people might sound
3 alike like a mother and her daughter or a father and his son?

4 A Yes.

5 Q And you used this scientific equipment to determine
6 whether or not indeed it was the same speaker or not the same
7 speaker?

8 A Yes, ma'am.

9 Q In other words, you didn't know it was a father and
10 son until after the test results were shown to you?

11 A That is correct. Only the instructors knew the
12 correct results until we were through with the test.

13 Q Would it be safe to say spectrographic analysis does
14 not rely solely upon what the ear might hear?

15 A That is correct.

16 Q In addition, you mentioned that some of the
17 identifications there were over a span of time?

18 A Yes, that is correct.

19 Q What do you mean by that?

20 MR. GLOVER: Your Honor, in light of my objections
21 to the Court concerning this particular evidence, I submit to
22 the Court that we are getting into an area that is outside the
23 scope of his testimony as an operator of the spectrograph and
24 I object to it.

25 THE COURT: Overruled.

1 Q And are these spectrograms that were produced in
2 this case?

3 A Yes, they are.

4 MS. GILLES: We would offer State's Exhibits 26 and
5 27 at this time.

6 MR. GLOVER: The same objection that we had for the
7 Court previously.

8 THE COURT: Same ruling. They are admitted into
9 evidence.

10 MR. GLOVER: The Court recalls my objection?

11 THE COURT: Yes.

12 BY MS. GILLES:

13 Q If you would, Officer Williams, would you step down
14 here in front of the jury, and I want to remind you that you
15 are not going to be in front of a microphone and the reporter
16 has got to take down what you are saying. So, if you would,
17 step down here and show the jury how it is that this
18 instrument functions and the procedure that you utilized, and
19 in doing that, keeping your voice up where he can hear you.

20 A Okay. (The witness complies.) Ladies and
21 gentlemen, as you can see, this is a rather small photograph.
22 Can everyone see it clearly? The tape recordings that I
23 received, both be known and unknown were on a cassette
24 recorder. To work with it, the instrument itself, the audio
25 portion, the sound that you hear on the cassette that you

1 received needs to be transposed onto a reel to reel working
2 recording so the tape can be wound around the drum and
3 utilized in the manner it is designed.

4 Q Let me stop you and ask you this: Is that recorder,
5 the reel to reel, part of the spectrographic instrument
6 itself?

7 A Yes. It is not an added feature. The tape
8 recording, once it is rerecorded onto reel to reel tape, as
9 you can see, the tape is brought down around this drum. The
10 tape is brought down from this reel and down around the drum
11 and tied in here. This will represent approximately two and a
12 half seconds worth of sound. The sound is locked on. There
13 is a tape clamp which holds the particular piece of tape in
14 place on the drum. The tape does not move.

15 Now, you have a paper which is a spectrogram itself
16 that has not been marked and it is placed upon this drum in a
17 manner such as this. This paper is wound around the drum.
18 You can rotate the reel to reel tape and listen to it to
19 determine which sections of the tape you actually want a
20 spectrogram of. Since you cannot hold up a magnetic tape and
21 look at it, you have to hear it.

22 Now, what happens is this tape is locked on to the
23 drum but you can unlock it. There is a playback head that is
24 in this drum. A standard playback speed can be started in
25 motion by switches over here located at this portion and the

1 individual can hear what is on that two and a half seconds of
2 sound and this will repeat itself as this playback head goes
3 around the tape.

4 Q Are you saying that you take -- in this case, you
5 took -- let's start with State's Exhibit No. 13, the unknown
6 recording, the recording between the rapist and [REDACTED] and
7 you put it on this reel to reel tape and then you began to
8 work in segments of two and a half seconds of speech from that
9 tape at a time?

10 A That is correct.

11 Q Would it be fair to say this is a very lengthy and
12 time consuming process?

13 A This is the longest case I have ever done.

14 Q And you took that entire tape, that portion of it
15 involving the suspect's voice, not [REDACTED] and produced two
16 and a half -- two point five seconds worth of speech on each
17 of the spectrograms?

18 A Yes, that is correct.

19 Q That is done, as you are showing the jury here, on
20 this drum with the paper on it here in State's Exhibit No. 24;
21 is that correct?

22 A Yes, that is correct.

23 Q Let me ask you this: Are you saying the net effect
24 then of this instrument is to make -- to make speech visible?

25 A Yes, that is correct.

1 Q This instrument takes the speech we can all hear
2 from the tape recording and makes it visible on a spectrogram?

3 A Yes, that is correct.

4 Q All right. In this particular case, tell the jury,
5 if you would, did you do all of the spectrograms of the
6 telephone call that [REDACTED] is involved in initially and then
7 go back and do the known voice of the Defendant over here,
8 David Pope, or what is exactly the procedure that you use?

9 A Yes, the procedure that I use was to make all the
10 spectrograms utilizing the tapes of the unknown voice
11 recording first and then I go back and make the spectrograms
12 of the known tape recordings. This is the procedure which I
13 was taught.

14 Q All right. Is that the standard procedure in doing
15 this type of production on the spectrographic instrument?

16 A Yes, it is.

17 Q So you established two sets of spectrograms then?

18 A That is correct.

19 Q One, the voice of the unknown speaker, the speaker
20 talking to [REDACTED], in segments of two point five seconds?

21 A Yes, that is correct.

22 Q And the same thing was done with his voice over
23 here?

24 A Yes, that is correct.

25 Q Let me show you State's Exhibits 26 and 27. Could

1 you show the jury how these relate to the photographs of the
2 spectrographic instrument itself, 26 and 27 being the
3 spectrograms?

4 A Okay.

5 Q Explain that to the jury.

6 A The information that is on the tape, as we were
7 told, this is transferred into an electronic pulse. This is a
8 metal drum and this is a paper that is designed to be
9 sensitive to an electronic spark. The head will rotate around
10 the drum in a very rapid rate -- it is eighty to ninety times
11 per minute, I believe -- and this head scans -- the recording
12 head passes over the sound that is on the tape. Then that
13 energy that is on the magnetic tape is transferred into an
14 electronic impulse and goes through a very fine needle that
15 burns onto the paper those portions of the sound or speech
16 that it has picked up from the tape.

17 Q And does the spectrographic instrument itself stop
18 the tape at two point five seconds worth of speech?

19 A Yes, ma'am.

20 Q That is its own time from that it works with?

21 A Yes, ma'am.

22 Q That is not controlled by you, that is what the
23 instrument does?

24 A No, this was a convenient size that was apparently
25 determined by the manufacturer so the paper is easy to work

1 with since speech and word recording can be a continuous
2 thing.

3 Q So it is its own automated self in terms of stopping
4 the tape, starting the tape, making the spectrograms?

5 A Yes, ma'am.

6 Q All right. State's Exhibits 26 and 27 -- I believe
7 that is right -- are those the two spectrogram numbers?

8 A Yes, ma'am.

9 Q Okay. Thank you. Were they produced by the
10 spectrographic instrument that was shown there to the jury in
11 the photographs?

12 A Yes, they were.

13 Q Now, without going into anything other than the
14 mechanics of this process, is there a way that it is
15 delineated as to which of these spectrograms is from what we
16 call the unknown tape and which is from his voice over there?

17 A Yes, we are taught in the process to mark the
18 unknown with red ink and the known in black or blue ink or
19 another darker ink so we can keep them separated.

20 Q All right. So then in compiling the stack of
21 spectrograms that were produced by the rapist when he was on
22 the phone with [REDACTED] when he was unapprehended -- let's say
23 the unknown voice, let's call it that -- of when you produced
24 all that stack of spectrograms, the lettering at the bottom of
25 that -- each of those is in what color?

1 A The lettering at the bottom of the unknown was in
2 red and the lettering at the bottom of the known was in black.

3 Q So then when you made the stack of spectrograms of
4 David Pope's voice, you lettered everything in black?

5 A Yes, that is correct.

6 Q Now, would you just read at the bottom of State's
7 Exhibit No. -- well, that is all right. Let me ask you this:
8 At the bottom of State's Exhibit No. 26, is that your
9 handwriting that appears there in red lettering?

10 A Yes, it is.

11 Q And at the bottom of State's Exhibit No. 27, is that
12 your handwriting that appears there in the dark lettering?

13 A Yes, it is.

14 Q About how many -- if you could guess, about how long
15 do you think it took you to compile and go through and listen
16 and find and mark these spectrograms?

17 A Through the entire was approximately 350 hours.

18 Q You can take your seat.

19 A Thank you. (The witness complies.)

20 Q Now, you have told us that you have been trained in
21 this field of voice print identification to make you an
22 examiner; is that correct?

23 A Yes, that is correct.

24 Q And are you also a member of organizations involved
25 in this field?

1 A Yes, I am.

2 Q Would you tell the jury about that?

3 A The International Association for Voice
4 Identification. That was an association that was designed for
5 people that were interested in that particular field --
6 specifically that field. Also the International Association
7 for Identification as opposed to the other Organization of
8 Voice Identification. That is an international organization
9 that is recognized by several law enforcement agencies and
10 scientific groups, which they have several subdivisions, and
11 voice print identification is one.

12 Q All right. And in addition to that, do you lecture?
13 Have you lectured in this field?

14 A Yes, I have.

15 Q Now, let me ask you as part of the procedure that
16 you go through before you ever start creating these
17 spectrograms, is there a way you test the instrument before
18 you ever get started to be sure of its accuracy in the
19 production of spectrograms?

20 A Yes, there is a calibration process.

21 Q What does that mean?

22 A The instrument generally has two tones. One is a
23 hundred -- a 500 hertz tone and the other one is 100 hertz
24 tone. This comes from the manufacturer itself. They
25 calibrate the instrument at the factory to determine whether

1 or not it is in proper operating condition. And the
2 instrument that I work with, they also sent me a copy of this
3 master set of calibrations.

4 There is a procedure with which one can calibrate
5 ones own machine so that you can determine whether or not the
6 one you have is working as it was designed to work from the
7 factory.

8 Q All right. So prior to you starting, do you run
9 through these master sets and determine whether or not it is
10 properly calibrated before you start?

11 A Yes, ma'am.

12 Q And did you do that in this case?

13 A I certainly did.

14 Q Was it properly calibrated?

15 A Yes, it was.

16 Q And in addition to the test that you run, can one
17 experienced in the field determine from looking at the
18 spectrograms whether or not the instrument is properly
19 calibrated?

20 A Yes, you can.

21 Q Now, so that it is clear for the jury, you are a
22 trained, qualified examiner -- spectrographic examiner and you
23 are not here to tell this jury that you are a scientist in the
24 field of bioacoustics?

25 MR. GLOVER: Your Honor, we will object to the form

1 BY MS. GILLES:

2 Q What do you mean by the time difference?

3 A There were several tape recordings that had been
4 made years prior to the time that I attended school. They
5 were made of individuals, say, ten, fifteen, twenty years ago.
6 So you are looking at about a ten, fifteen or twenty year time
7 span until I had actually attended the school.

8 These tape recordings were made and kept at the
9 school and when we ran the test, we had to -- as far as the
10 test we had to solve, we had to determine whether the same
11 individual's voice had changed over a period of time, ten,
12 fifteen, twenty years. These tests were also conducted in
13 studies that we were told about.

14 Q All right. Let me ask you then would it be fair to
15 say that fifteen years from now my voice might sound to some
16 degree to the ear different than it does right now?

17 A Yes, that is possible.

18 Q All right. And whether it sounds the same or not,
19 the spectrographic analysis process could determine whether or
20 not it was indeed one in the same speaker on those two tapes,
21 one now and one fifteen years from now?

22 A That is correct.

23 Q Let me ask you if you have brought here with you
24 some photographs of what a spectrographic analysis instrument
25 looks like?

1 A Yes, I have.

2 MS. GILLES: I will need to have these marked for
3 trial purposes at this time.

4 (Whereupon, State's Exhibits No. 23, 24 and 25
5 were marked for identification.)

6 BY MS. GILLES:

7 Q Having had those marked, let me show you what will
8 now be referred to as State's Exhibits 23, 24 and 25.

9 Are those the photographs that I referred to a
10 moment ago that you brought here for the jury of the
11 instrument?

12 A Yes, they are.

13 MS. GILLES: I would offer 23, 24 and 25 at this
14 time.

15 MR. GLOVER: My objection again concerning the
16 admissibility of these exhibits as heretofore stated before
17 the Court.

18 THE COURT: I recall those objections and they are
19 overruled. They are admitted into evidence.

20 BY MS. GILLES:

21 Q Do State's Exhibits 23, 24 and 25 show this
22 instrument?

23 A Yes, they do.

24 Q And in State's Exhibit No. 23, is that a picture of
25 the entire instrument?

1 A Yes.

2 Q And 24 and 25 are more close up views of portions of
3 that instrument?

4 A Yes, that is correct.

5 Q Now, let me ask you if there is a specific set
6 procedure that one uses in creating spectrograms or doing a
7 spectrographic analysis?

8 A Yes, there is.

9 Q And were you called upon by the Garland Police
10 Department, and specifically Investigator Wheatley, to utilize
11 that procedure in this particular case?

12 A Yes, I was.

13 Q And the procedure involved, as you mentioned to the
14 jury, is the comparison of tape recordings?

15 A That is correct.

16 Q And were you asked by Investigator Wheatley -- in
17 fact, did he bring you some tape recordings?

18 A Yes, he did.

19 Q And were the recordings that he brought to you to
20 have run through the spectrographic instrument here State's
21 Exhibit No. 13 and 22?

22 A Yes.

23 Q And did you conduct the spectrographic creation of
24 spectrograms from these two tapes?

25 A Yes, I did.

1 Q And for the record, I'm going to refer to State's
2 Exhibit No. 13 as the call of the unapprehended suspect
3 between the rapist and [REDACTED] and State's Exhibit No. 22
4 the known voice tape of the Defendant, David Shawn Pope. Are
5 those the two tapes you were utilizing in your procedures down
6 there in Houston?

7 A Yes, they are.

8 Q All right. This instrument shown in 23, 24 and 25
9 is called a spectrograph; is that correct?

10 A That is correct.

11 Q And what is the product produced by a spectrograph
12 called?

13 A A spectrogram.

14 Q All right.

15 MS. GILLES: I need to have two additional exhibits
16 remarked from hearing exhibits to evidentiary ones.

17 (Whereupon, State's Exhibit No. 26 and 27 were
18 marked for identification.)

19 BY MS. GILLES:

20 Q Let me show you what has now been marked as State's
21 Exhibits 26 and 27. Do you recognize these?

22 A Yes, I do.

23 Q And are these spectrograms examples of the product
24 that a spectrographic instrument produces?

25 A Yes, they are.

1 of the question.

2 THE COURT: Sustained.

3 BY MS. GILLES:

4 Q Would you tell the jury whether or not you are a
5 scientist in the field of bioacoustics?

6 A No, I am not a scientist.

7 Q And would you tell the jury whether or not you are
8 here to try to explain to them the principles of physics and
9 mathematics that make up a part of this science and this
10 instrument?

11 MR. GLOVER: Assuming facts not in evidence. Object
12 to it.

13 THE COURT: Overruled.

14 BY MS. GILLES:

15 Q You may answer the question. Are you a scientist?

16 A No, no, I am not a scientist in the field.

17 Q You are here as the operator, the technician of this
18 instrument?

19 A Yes, that is correct.

20 MS. GILLES: I will pass the witness, Judge. We
21 need to approach the bench, please.

22 THE COURT: All right.

23 (Whereupon, an off the record discussion was
24 held between counsel and the Court, outside
25 the hearing of the jury.)

1 MS. GILLES: Judge, before I pass the witness may I
2 have him back for a couple more questions?

3 THE COURT: Yes.

4 BY MS. GILLES:

5 Q Let me ask you if you caused the spectrograms that
6 you produced from these two tape recordings to be sent to any
7 individual?

8 A Yes, I did.

9 Q And who is that?

10 A That was Dr. Henry Truby.

11 Q These spectrograms, the known set and the unknown
12 set, were sent to Dr. Henry Truby?

13 A Yes, that is correct.

14 Q And where does he reside?

15 A Miami, Florida.

16 MS. GILLES: Pass the witness.

17 MR. GLOVER: We have no questions at this time.

18 THE COURT: You may step down. Call your next
19 witness.

20 MS. GILLES: The State would call Henry Truby, and
21 may the record reflect, Your Honor, that this witness has
22 previously been sworn and is still under oath.

23 THE COURT: Correct.

24 (NO OMISSIONS)

25

1 Whereupon,

2 DR. HENRY TRUBY,

3 called as a witness by the State, having been duly sworn
4 by the Court to testify to the truth, the whole truth, and
5 nothing but the truth, was examined and testified as
6 follows:

7 DIRECT EXAMINATION

8 BY MS. GILLES:

9 Q Would you state your name for the record, please,
10 sir?

11 A Henry Truby.

12 Q Thank you. Your last name is spelled (spelling)
13 T-r-u-b-y?

14 A Yes, ma'am.

15 Q Are you, sir, a scientist in the field of
16 bioacoustics?

17 A Yes, ma'am, I am.

18 Q And would you acquaint the jury with what that
19 means?

20 A Well, bioacoustics is a science that has to do with
21 the sound produced by the body or with reference to the body.

22 Q And it is a science dealing then with sound?

23 A Sound, body sound, heart sound, circulation sound,
24 speech sound, respiratory sound, anything from a baby crying
25 right on through your last gasp.

1 Q And would you tell the jury a little bit about
2 yourself? Let me ask you do you currently reside in Miami,
3 Florida?

4 A Yes, ma'am.

5 Q And are you a family man?

6 A Yes, ma'am.

7 Q How many children do you have, sir?

8 A Six.

9 Q Let me ask you how long you have been working in the
10 field of acoustic sciences?

11 A Forty years.

12 Q All right, sir. Would you familiarize the jury with
13 your educational background and then from there I will ask you
14 -- well, moving to -- I will ask you some questions about your
15 work within this field. But just so the jury will know a
16 little bit about you, what is your educational background?

17 A Well, in the university level, I first got a degree
18 from Paris Junior College, Paris, Texas, in mathematics. Then
19 I got a Bachelor's Degree from the University of Texas in
20 Austin. I then served in the war for five years in the South
21 Pacific. Then I got a degree from the University of Wisconsin
22 in English language, a Master's Degree. I was teaching in the
23 Math Department but I got a degree in English.

24 Q I'm sorry, where was that?

25 A The University of Wisconsin. Then that university

1 was only at medicine. Some years later I completed my
2 doctoral work at Columbia University in linguistics.

3 Q What is that, sir?

4 A Linguistics is the study of language, anything to do
5 with language, anything from the normal, ordinary everyday
6 features of pronunciation and syntax and spelling and
7 everything on up to how language works interrelatedly between
8 the translation of languages, the analysis of languages, the
9 pathology of languages. Anything to do with language.

10 Q What do you mean when you say the "pathology of
11 language"?

12 A Abnormal production of language or rescission of
13 language in audiology or what is commonly called speech
14 pathology or speech therapy. That wasn't my particular
15 emphasis, but, of course, I have taught in those areas
16 over the years of analysis, research, the making of
17 dictionaries, production of textbooks, inter-languages,
18 between languages, that is, and my final degree was a
19 Doctorate from the University of Lund, which is an ancient
20 Swedish university in acoustic phonetics, specifically which
21 has to do with the study of sounds of speech and analysis and
22 production and research.

23 Q When you say that acoustic phonetics is dealing with
24 production of speech, is there a way -- what are you talking
25 about there?

1 A Speech sound and its analysis. The field of
2 acoustic phonetics is about a hundred something years old, I
3 would suppose, but before that, the older people working in
4 the field were conscious of those facts as long as there has
5 been a way to duplicate language by just hand-me-down songs,
6 histories and the like. Even Aristotle in 300 B.C. was
7 working in some way to reproduce language sounds and, of
8 course, we have had writing now for several thousand years,
9 which is a way to put sound down.

10 But in the last hundred years, we have been able to
11 make instrumental analyses which is more meaningful as far as
12 history is concerned and as far as technology is concerned.

13 Q Are you saying in the last hundred years, because of
14 the technology, there has been such a greater increase in the
15 amount of information that we have in this field?

16 A Yes, in the conversion of sounds that you hear and
17 the sounds that you can see and that can be converted into
18 patterns that can be read or examined for research purposes or
19 identification purposes or the like.

20 Q And does that area that you are talking about, the
21 conversion of speech into visible sound, I will say for lack
22 of a better word, is that a specific science within the field
23 of acoustic science?

24 A Yes, there have been instrumentation developed, as I
25 say, over the past 75 years or so, but specifically, since

1 about 1945.

2 Q And what is that field called?

3 A That is called sound spectrography, and when it is
4 focused on speech, it is called speech sound spectrography.
5 When it is focused on other things such as infant cry
6 analysis, baby cry analysis or animal sound analysis, there
7 has been a lot of work in recent years that has focused on
8 that aspect of spectrography.

9 Q So sound spectrography can relate to many areas of
10 sound, not just the human voice speaking?

11 A Yes, exactly.

12 Q All right. And have you done research and have you
13 worked in the area not only of speech sound spectrography, but
14 in sound spectrography?

15 A Yes, not only in a general way, but in several
16 specific areas.

17 Q All right. Let me ask you a little bit about -- I
18 think you talked about your last degree that you received in
19 acoustic phonetics from the University of Lund in Sweden.
20 Your dissertation there was specifically in what field?

21 A Speech spectrography.

22 Q All right. Could you tell the jury a little bit
23 about your work in the area of sound spectrography?

24 A Well, right after World War II, it became possible
25 to convert the sound of language into a visible form.

1 Q And when you say it was right after World War II,
2 had that actually been worked on during the war?

3 A Oh, yes, before the war. But during the war it was
4 used in espionage work and in transferring spoken language
5 from one place to another without any chance for it being
6 intercepted. In other words, this was not -- has not even the
7 cryptography -- it is not coded, it is a mechanical automatic
8 way to scramble sounds into other patterns and transmit them
9 and at the other end would come out an actual picture which
10 could be interpreted then. This is a Xerox of a sound
11 spectrogram.

12 Q Excuse me just a minute. Let me have that marked,
13 please.

14 A Okay.

15 MS. GILLES: Mark that, please.

16 (Whereupon, State's Exhibit No. 28 was marked
17 for identification.)

18 BY MS. GILLES:

19 Q Let me ask you, before we utilize some of these
20 exhibits, if I might need you to just talk about the history
21 of the science first, or would it help you to have this in
22 doing that?

23 A I think it would help to have it. It would be
24 clearer, I believe.

25 Q All right. And you have your own copy up there.

1 Could I have that to show to Defense counsel, please?

2 MS. GILLES: For the record, I am offering State's
3 Exhibit No. 28, which the Defense counsel is viewing at this
4 point and Dr. Truby has an identical copy of it which he
5 wishes to keep up there with him to refer to as State's
6 Exhibit No. 28 is exhibited to the jury.

7 MR. GLOVER: We object to it, Your Honor. It
8 doesn't conform with our Motion for Discovery.

9 THE COURT: Overruled. It is admitted.

10 BY MS. GILLES:

11 Q State's Exhibit No. 28, then, could you explain what
12 that is, please?

13 A Yes, it is just a general display of how it would be
14 to utter an isolated vowel of the vowels E, O, A, and that
15 these have characteristic patterns in language which would, if
16 the pronunciation were similar to those, carry through any of
17 the 5,000 human languages we use today on this planet, and
18 they would help you then to identify the word linguistically
19 from a language standpoint.

20 Q Let me stop you a minute then. Are you saying that
21 if I say the sound "E," it will appear a certain way on the
22 spectrogram?

23 A Yes.

24 Q And that is shown here as to what they look like
25 once they are analyzed?

1 A Every time you say anything that sounds like "E," it
2 is going to look like that and it doesn't matter who says it.

3 Q That is the basic shape?

4 A That is the basic shape.

5 MR. GLOVER: Do you have an extra copy of that?

6 MS. GILLES: Yes.

7 BY MS. GILLES:

8 Q Now, I believe that you were telling the jury that
9 this area of research or of this science began during the war
10 and was used in espionage. What do you mean when you say
11 that?

12 A Well, the instrument began to be used anyway that
13 was confident to the agents using it, I presume. It wasn't
14 released for general scientific use until May of 1945, I
15 think. I began using it in March of 1946. It had been worked
16 on for many, many years prior to that by Bell Telephone
17 Laboratories and others and it appeared in scientific writings
18 as a method under development. It gave us a new handle on
19 language. We had been recording since the 1890's, but the
20 only way to make heads or tails out of a recording was to
21 listen to it. So it converted it in a meaningful way.

22 Now, we had earlier oscillograms and some of my
23 immediate ancestors were very competent at reading
24 oscillograms and could tell you not only what was on an
25 oscillogram linguistically, but could tell you who uttered it.

1 But by the time it turned into the form which we have just
2 seen, it gave us something -- some patterns that were easy to
3 pass on to students. We trained different people to read
4 these visible pictures and they could interpret language that
5 way, or the language of a speaker making the recording -- they
6 could do that in real time, that is immediately, or you have a
7 permanent reproduction of that particular sound.

8 Now, if somebody said, "He yet," like that, that
9 would be on there. That would forever be on there and there
10 wouldn't be anything you could do about it. You could capture
11 it that way, could look at the recording again and make
12 another spectrogram. You could make measurements on it and it
13 began to make machine translation and just general translation
14 and other kinds of things very possible.

15 Q Are you saying then it is from the area of just
16 hearing speech and to being able to study it because you had a
17 recorded visual --

18 A Yes, and Bell Telephone Laboratories used the term
19 "visible speech" for theirs, and a book was produced of some
20 magnitude back in about 1947. Actually the first time that
21 anyone had used the term "visible speech" was in 1849. That
22 was Alexander Melv# Bell who developed a system like this, but
23 he couldn't turn it around. That was the father of Alexander
24 Graham Bell and 100 years earlier he had produced a system
25 where he could write the syllables down or any one of his sons

1 -- he had three sons that could write the syllables down and
2 send them to their father and the father could tell which
3 person had uttered what.

4 Q And in the '40s during the war --

5 A It was turned into a mechanical instrumentation, and
6 the speaking of that vowel "E," then somebody could say, "Here
7 it is, you can see it," and it could be produced into a word.

8 Q Just a second. I am not sure what you are referring
9 to.

10 A This is identical and copies were just made.

11 MS. GILLES: Mark this, please.

12 (Whereupon, State's Exhibit No. 29 was marked
13 for identification.)

14 BY MS. GILLES:

15 Q Could I see your copy, please?

16 A (The witness complies.)

17 THE COURT: Are you making an offer, Counsel?

18 MS. GILLES: Yes. I think at this point he would
19 like his own copy. I would offer State's Exhibit No. 29. At
20 this time Dr. Truby has an exact copy of it which he will
21 refer to up on the stand.

22 THE COURT: Any objection, Mr. Glover?

23 MR. GLOVER: My objection to the entire line of
24 testimony is that it is not credible.

25 THE COURT: Overruled. You may continue.

1 BY MS. GILLES:

2 Q Now, State's Exhibit No. 29, what are you saying
3 this is, sir?

4 A Well, we were speaking a moment ago of the vowel
5 "E". If you incorporate that into a word and pronounce it
6 like the word "see" for instance -- we have the word "see" and
7 see can be spelled in various ways. It can be (spelling)
8 s-e-e or it can be spelled (spelling) s-e-a, or it could be
9 the name of the letter "C". But nonetheless, its utterance,
10 "see, see, see," repeated by the same speaker will bear
11 characteristics which don't change for that same speaker very
12 much, not enough so it would fail to be identified not only as
13 uttered, but what was uttered.

14 Q All right.

15 A And by whom.

16 Q Let me just ask you a question, sir. On State's
17 Exhibit No. 29 that I hold here and which you are looking at a
18 copy there, is this then an example of an actual word -- that
19 is the word "see" as opposed to that "E" sound that you were
20 showing the jury earlier on State's Exhibit No. 28?

21 A Yes, it is a word incorporating in an additional
22 sound as in "see," and it isolates the vowel "E" that we were
23 talking about earlier. It could also be the name of the
24 letter "E" so it has -- it is a word, too, in a sense, but
25 having --

1 Q Let me ask you this: Are you saying it doesn't
2 matter if it is (spelling) s-e-a or (spelling) s-e-e or the
3 letter "C," the thing that is important here is what is said,
4 not the spelling?

5 A Yes, spelling has nothing to do with it. Spelling
6 is just kind of a rough attempt to put down what you just
7 heard. If I say, "I see something," everybody knows which
8 "see" I am talking about. If I say, "I dropped it in the
9 sea," they know which "sea" I am talking about. When "E" is
10 by itself, the conformities are of such a nature, once it is
11 introduced into the word "sea," then it is modified by the
12 preceding sound and it interrelates those two sounds in a
13 manner which then is an additional complication or
14 characteristic of the pronunciation of the word.

15 Q By that particular speaker?

16 A By that particular speaker. It takes about 5,000 of
17 such interrelationships to describe English.

18 Q That is what makes up English language?

19 A Yes. That is why it takes me four years to train
20 somebody in phonetics in a university.

21 Q Now, the development of this instrument then was
22 developed through the Bell System Laboratory; is that correct?

23 A Yes, ma'am.

24 Q What we used to call before all of this split-up,
25 AT&T and the Bell Telephone Company?

1 A Whatever. Bell Telephone Research Laboratories it
2 was for many, many years and still is a very active
3 communication center.

4 Q Now, in what year was it that you began working with
5 this instrument and spectrographic analysis?

6 A One of the visiting research scientists to Bell
7 Telephone Labs was a professor at the University of Wisconsin
8 and he brought one of the instruments out there and I began
9 working with it in about March or July of '46, somewhere in
10 there.

11 Q Would it be fair to say then that you were one of
12 the first civilian scientists to work with this?

13 A Well, after Professor Joos, I guess I was the second
14 one. He was a civilian scientist who was invited into the
15 Bell Laboratories because of his knowledge in linguistics to
16 work with the instruments.

17 Q And would you tell the jury about speech
18 spectrographic analysis? You have started telling us about
19 it, but have you yourself worked in this area for many, many
20 years and published many articles in books in that area?

21 A Yes, once I became conscious of the fact that this
22 instrument -- as I said earlier, I was a mathematician, and
23 maybe still am to a certain degree, and was teaching
24 mathematics at the university. This is a mathematical
25 instrument. This is a mathematical conversion of the sound of

1 anything to the visible form of that anything. We have
2 continued -- I don't suppose there have been many days since
3 1946, in the middle of the summer, that I haven't been working
4 with this. It is both a hobby and an avocation and a
5 profession with me and I will never get finished with all of
6 the things I would like to do in this area, nor would anybody
7 else, I suppose.

8 Q Would it be fair to say that you have published well
9 over a hundred articles in the area of bioacoustics and speech
10 print identification and Voice Print Identification, all of
11 these things you have talked to us about?

12 A Yes, that is certainly quite accurate.

13 Q The basic principle or premise, perhaps, in using
14 speech spectrographic analysis is what?

15 A Each of us handles his or her language differently
16 from anybody else. In other words, uniquely.

17 Q And where that premise -- did you explain where that
18 derives from, the uniqueness?

19 A It was first reported in literature in the Bible, I
20 suppose, and then everything everywhere south of that until
21 now that we can -- we all know we can identify people if we
22 are familiar with their voice, the speech production, and the
23 various instrumentations of transmission like the telephone,
24 radio, television, movie soundtracks and all of that simply
25 confirm that technologically. We have used it in amnesia

1 cases, we have used it, as I say, in pathology in various ways
2 and in all kinds of language studies.

3 Q Okay. You say that if we know someone, we can
4 identify them?

5 A That is the basic premise, right.

6 Q In other words, I know my mother's voice. She calls
7 me on the phone and I can recognize it because it is her
8 voice. Is that what you mean, just hearing it?

9 A Yes, and that has been a very strong method of
10 identification. The problem with that is that sometimes each
11 of us has made a mistake. It was some kind of interference or
12 just a plain, pure mistake. The spectrogram and the
13 spectrographic form doesn't. It would reflect the small
14 differences which might have made us think we heard somebody,
15 but it would show those differences in an objective way and
16 you would see why you made such a mistake. For instance, with
17 all of these brilliant imitators like Rich Little, Johnny
18 Carson, those people, no matter how clever their imitation is,
19 you look at the spectrogram of it and you see that Johnny
20 Carson is still not George Eurns or James Cagney or whomever
21 he might be imitating. If you are listening to especially a
22 radio broadcast, it might sound mighty good. Sometimes they
23 do a fine job.

24 Q And the spectrograph would show it was the voice of
25 the imitator and not the real Johnny Carson or the real George

1 Burns?

2 A Precisely.

3 Q Even though it might sound that way when you
4 listened to it?

5 A Yes, it is a beautiful caricature. The imitator
6 caricatures those things which appeal to the ear, but they
7 don't get everything. There is no way for them to memorize
8 all of the phonetic details and everything, and the person
9 they are imitating has been speaking that way for however old
10 he is.

11 Q The converse of that, I am talking with you now. I
12 think something is funny and I say, "Don't make me laugh," and
13 then I am serious and I say, "Don't make me mad." Now, by
14 just listening, the record may not reflect it, but my
15 intonation is different?

16 A Oh, yes.

17 Q And somebody not seeing me say that could presume
18 that it was two different speakers?

19 A They might if they didn't know you in both of those
20 moods.

21 Q Somebody not familiar with me?

22 A Right.

23 Q What would the spectrograph indicate to you in that
24 example I just gave you about my voice?

25 A It would sort of -- it would kind of dissect the

1 word. It would take the moving speech and analyze it into
2 these characteristics of "E" or whatever sounds are in there
3 in such a way that it would show no matter whether you were
4 angry or upset or inebriated or whatever, that you still were
5 not able to -- nothing messes up your articulation to the
6 point that it isn't recognizable.

7 This looks more or less a little harmless, but this
8 is from zero cycles on up to 8,000 cycles, and each cycle of
9 the frequency of this spectrum here in time is significant.

10 Q All right. Let me stop you there. You are saying
11 in that example I gave you about how I spoke --

12 A Uh-huh.

13 Q -- that would all be indicated there on the
14 spectrogram?

15 A You would see those things, too, but they wouldn't
16 change your basic articulation.

17 Q That is what I needed to ask you. What if I were
18 really good and I could throw my voice to where I sounded like
19 Nancy Reagan? Would that indicate to you that it was still me
20 talking?

21 A Yes, because what you are throwing your voice into,
22 as I say, would be kind of a caricature. What really happens,
23 you would be modifying vowels, like in the case of an extreme
24 alcoholic, you might change your sound to slur certain sounds,
25 but you won't change your basic pronunciation. If you say,

1 "Dog," you say, "dog." If you say "Dawg," you say, "d-a-w-g."
2 So if you say, "I have a dog," instead of, "I have a dawg,"
3 You won't be able to do anything with maybe the "d-o" sound or
4 the "g-a" sound or whatever. The information is overwhelming
5 on these things.

6 Q And why is it that this science states that I would
7 not change my overall pronunciation?

8 A If you changed your overall pronunciation too much,
9 maybe people wouldn't understand what you are saying.

10 Q Is pronunciation a learned thing and is it based on
11 the physical makeup of my throat?

12 A It is a subconsciously learned thing. It started
13 prenatally with the influence of your mother's speech on
14 developing the unborn baby and picks up respiratory and sort
15 of timing and things that later become -- when he gets in the
16 air, become cry sounds which in themselves are so
17 individualistic that you can identify babies from them. You
18 can tell identical twins apart, quadruplets. It is an amazing
19 complication.

20 Q All right. And there have been years and numbers of
21 studies in this area?

22 A In all of these areas.

23 Q Let me show you what I am going to have marked as
24 State's Exhibit No. 30.

25 (Whereupon, State's Exhibit No. 30 was marked

1 for identification.)

2 BY MS. GILLES:

3 Q Do you have an exact copy of it also, Doctor?

4 A No, I don't, but that is all right.

5 MS. GILLES: We would offer State's Exhibit No. 30.

6 MR. GLOVER: The same objection to the entire area,
7 not being credible or competent.

8 THE COURT: Same ruling. It is admitted into
9 evidence.

10 BY MS. GILLES:

11 Q All right. What is shown in State's Exhibit No. 30
12 is something that you touched on there for a minute?

13 A That is four spectrograms taken from two sets of
14 identical twins.

15 Q All right. One set of twins, Joann and Carol, and
16 the other set of twins, Ronald and Roger. Might identical
17 twins to the ear sound the same?

18 A Very likely. Very likely they would sound the same,
19 but probably not to each other or to their mother or
20 something.

21 Q Okay. But to someone who didn't know them?

22 A Oh, yes, someone who didn't know them wouldn't be
23 able to tell them apart.

24 Q All right. In the example in State's Exhibit No.
25 30, were these twins, each set individually asked to speak the

1 phrase "Were you"?

2 A That was extracted from some speech they were making
3 like, "Were you there Saturday?" or something of that sort.

4 Q All right. And what we have here on the
5 spectrograph, though, is the small phrase, "Were you"; is that
6 correct?

7 A Yes.

8 Q Okay. And what does the spectrogram in those cases
9 indicate about the twins saying the same word or phrase?

10 A Well, from left to right, it indicates that when
11 Joann said, "Were you," that the pattern that you can see half
12 way across the room is different from the one that Carol said,
13 but in a generalistic way, you can still see that it is the
14 language expression "Were you."

15 Q Is the same true in the study that was done in the
16 example of Ronald and Roger, the speech pattern produced is
17 different?

18 A The same with Ronald and Roger, and they were
19 extracted from sentences -- probably the same original
20 sentence.

21 Q So what you are saying, this is just --

22 A And made at quite different times.

23 Q -- this is just one little example of one study of
24 many studies that have been done on twins?

25 A Yes.

1 Q So just the jury will know what we are talking about
2 here --

3 A We picked and naturally we work with all kinds of
4 twins and triplets and quadruplets, but identical. They are
5 called --

6 Q Would you say that --

7 A Monozygoti, which is a technical word for identical
8 twins.

9 Q And the spectrogram then demonstrates even identical
10 twins have different speech patterns and say the words
11 somewhat differently, indicating a uniqueness of speech?

12 A Yes. Again, the distinction is from an engineering
13 standpoint, they are different, but from a language point of
14 view, they are the same since you can understand them. We all
15 speak the language, but we don't really care about the details
16 of it.

17 Q Okay. Let me ask you if in your 40 years of doing
18 this and working with spectrograms, would you say that you
19 have used or viewed and analyzed spectrograms on few or many
20 occasions?

21 A I would say -- I would say many of those.

22 Q And in fact, are you an expert in this field and
23 considered an expert in this field?

24 A I suppose would be an immodest way of putting it.

25 Q Let me ask you whether or not you were called upon

1 by Larry Williams that the jury has met and heard from to
2 analyze some spectrograms that he had produced down in
3 Houston, Texas?

4 A Yes, I was.

5 Q And did those spectrograms involve, for terminology
6 so we are clear on what we are talking about, an unknown set
7 and a known set, that being where the speaker in one was
8 unknown at the time it was made and the speaker in the other
9 was known at the time it was made?

10 A Yes.

11 Q And did you have an opportunity at the time to view
12 those spectrograms?

13 A I did.

14 Q Now, let me ask you, before you talk about the
15 analysis of those sets of spectrograms, State's Exhibit Nos.
16 26 and 27 are some examples of what we are talking about here,
17 are they not?

18 A Yes, these are two of the spectrograms that were
19 sent me.

20 Q Okay. Let me ask you if before you even begin the
21 analysis if you can tell anything about the instrument upon
22 which these spectrograms were produced in terms of whether or
23 not the spectrographic instrument was working properly?

24 A That is also possible from the spectrogram to tell
25 whether the instrument is functioning right. It serves as

1 what we call in the laboratory a calibration of the
2 instrument, which you do in the use of all biophysical
3 instruments, and this machine was in good working order and it
4 produced intelligible sound patterns.

5 Q All right. In addition to that, can you tell from
6 the spectrograms that you were sent about the quality of the
7 recordings from which they were made?

8 A Yes.

9 Q And how is it that you can tell that from looking at
10 the spectrograms?

11 A Well, the differing degrees of poor quality would
12 impose different things on the spectrograph. After all, it is
13 just an instrument and it is a sound analysis instrument, so
14 it doesn't know whether you are going to say speech into it or
15 make noises into it. So if there is a noise in the room, it
16 will pick it up. All of these are clear. These are what we
17 call clean recordings.

18 Q All right. For instance, you are saying that if
19 there had been other noise in the room like as I am speaking
20 to you now and clapping my hands?

21 A Those would appear, those claps, right, during your
22 utterance.

23 Q They would appear there?

24 A Ambient noise in the room would be reflected, and if
25 you are out in the traffic and doing this, you could still

1 understand them as a human, but the machine would then have a
2 noisy recording and you would have a more difficult time to
3 extract the signal just as you would in traffic and you might
4 cup your ear or whatever.

5 Q These are relatively interference free and the
6 samples represent the speech and not a bunch of background
7 noise, things in the background like a party and that type
8 thing?

9 A Precisely.

10 Q And this one here you are looking at and some of
11 these other spectrograms have more background noise than
12 others, do they not, or interference?

13 A Yes, the ones he sent me were all more or less noise
14 free.

15 Q The ones that he sent to you for analysis?

16 A Yes. If he had others, I don't know.

17 Q And does the -- is it a good idea to use the best,
18 most noise free, clutter free spectrograms available in doing
19 an analysis?

20 A Well, it is -- you could -- you could say it is a
21 little easier from an expert point of view. If it happens to
22 fall in a critical area, that doesn't bother you any. You can
23 still extract the measurements. It is just not as obvious,
24 perhaps.

25 Q All right. Now, when you were sent these

1 spectrograms by Larry Williams, they were sent to you down at
2 Miami; is that right?

3 A Yes, ma'am.

4 Q And then you brought them here with you to this
5 trial?

6 A Yes, ma'am.

7 Q And would it be safe to say you are not involved
8 with or don't know the facts or don't know this person sitting
9 down here? Let me put it this way: This young man right
10 here, do you know him?

11 A No.

12 Q The first time you saw him was in this courtroom a
13 few days ago?

14 A During the pretrial.

15 Q Right. And what you have done here is simply to
16 make a scientific spectrographic analysis of the spectrograms
17 involved?

18 A Yes, of the spectrograms involved. I don't have any
19 knowledge that it is this fellow. I mean I don't know
20 anything about that. All I know is this spectrogram was sent
21 to me. For instance, Exhibit 7 is marked in red and that
22 indicates by our protocol that it is made by an unknown
23 speaker they would like to identify and this one enumerated in
24 black, State's Exhibit No. 8, I compared portions of it which
25 seemed plausible for comparison to me.

1 Q All right. So what you are saying is you just have
2 a known set and an unknown set. You don't even know the name
3 of the known person. You just know it is a known set and
4 unknown set. You don't know the charges or anything like
5 that. You just take those known and unknown and plop them or
6 analyze them for either identity as the same person or an
7 elimination?

8 A Yes, exactly.

9 Q And what you are looking for in spectrographic
10 analysis then is a decision as to whether or not the known and
11 the unknown are one and the same individual?

12 A Well, you look for points of similarity until -- and
13 if there are none and the sample is large enough, which this
14 certainly is, then you would assume that either the recorded
15 portions you have don't indicate that they are the same
16 person --

17 Q All right.

18 A -- but while you are going along, if you find points
19 of similarity, then it becomes obvious after 15-20 times --
20 similar patterns that these must have been made by the same
21 individual.

22 Q All right. And that these spectrograms, if there is
23 no points of comparison, as you have shown to the jury in the
24 patterns of speech, the way the words are said phonetically,
25 then you don't have the same individual?

1 A Exactly.

2 Q If you have enough patterns in the way the words are
3 said, enough of them in the spectrograms that you look at,
4 what does that indicate to you?

5 A It indicates that they were made by the same
6 individual.

7 Q Have you had an opportunity to do this process --
8 make this analysis in this case?

9 A Yes, I have.

10 Q And have you been able to form an opinion?

11 A Well, my opinion was formed reasonably soon when I
12 -- as soon as I had marked 10-15 similar patterns in these two
13 instances that they were -- that the original producer of
14 these was the same individual.

15 Q All right. So that I am clear and the jury is
16 clear, you looked through these spectrograms of the known and
17 unknown and you found a great number of identical patterns?

18 A I found a sufficient number to serve as an
19 identification to convince me, and then take a few more just
20 to reinforce it, that no matter how much you do of these
21 samples, you would continue to get points of similarity every
22 now and then.

23 Q All right. Let me ask you then, so that it is
24 clear, are you saying the known tape and the unknown tape were
25 made by one and the same person?

1 A I do so state.

2 Q Do you have any question about that?

3 MR. GLOVER: Objection, bolstering.

4 THE COURT: Sustained.

5 BY MS. GILLES:

6 Q Is that your scientific conclusive opinion?

7 A That is.

8 Q Now, you talked about the number of points, the
9 number of patterns, I think you used the term. Is there a
10 certain number -- a set number which a society like the
11 International Association of Voice Identification, of which
12 you are the Chairman, recommend before you make a positive
13 identification? Do we have any guidelines in that area? That
14 is what I am asking.

15 A Yes, what our experience -- some of us, as I said,
16 as long as 40 years of looking at these things, reveals that
17 once you get 10 or a dozen pattern similarities, the same
18 speaker will have produced them. Obviously if you got more or
19 if you go over it more carefully, you are going to find more
20 and more and more if it is the same individual. And as I
21 said, in the earlier examination of this exhibit here -- I
22 don't have the number on it, but whatever it was -- we see
23 that those were all made by the same speaker. In the
24 classroom, a teacher would tell us those are homophone of
25 each other, the same sounds and a different spelling -- well,

1 the spelling doesn't have anything to do with it. They are
2 the same sounds. If that particular element of sound is in
3 the word, it is still going to look like "C." If it is
4 "seizure," it is going to look like "C." It will be modified
5 according to its context. Those are things you look for.
6 Sometimes the patterns change a little bit because the speaker
7 one time may start to say something and misspeak. We all do
8 that every day of our lives, we misspeak and have to correct a
9 little bit. So if in the correction, you find that, that is
10 when it is handy to have the original tape recording so you
11 can listen to what actually they did say from the standpoint
12 of some mistake. You look back on the spectrogram and you can
13 see that adjustment to the intended utterance and you will get
14 a positive match of that part, too. In other words, all
15 errors of any sort are predictable if you have enough
16 experience. There are no two cases of measles alike, but you
17 can still diagnose measles pretty easily.

18 Q Let me ask you whether or not the fact that --
19 assume this is a fact: You have a set of spectrograms,
20 whether it is known or unknown, where the person is speaking
21 and having a conversation, and in the other set of
22 spectrograms -- let's mark that the known set -- you have a
23 person who is reading as opposed to carrying on a
24 conversation.

25 A Yes.

1 Q Would there be some discernible differences right
2 immediately? Even before you had addressed the issue of
3 whether or not it is the same speaker, would there be some
4 differences there between the spoken speech, conversational
5 speech and reading speech?

6 A Yes, and those are rather obvious to an experienced
7 examiner what they would be. A voice print examiner per se
8 doesn't necessarily look for those things, but again you get
9 into the academic area of it, you can tell. When you are
10 reading something, you know what is coming next so you don't
11 make -- you make certain kinds of adjustments in your phonetic
12 output. But if I am going to say, "Well, it is about 12:00
13 o'clock," I will hesitate before I look at the clock and
14 affirm it and say, "It is really exactly 12:05 according to
15 that clock." But if I read it, it is all there for me and I
16 don't have to hesitate and pick out what time it is. It says
17 there on the page that it is 12:05 and I'll just read it. So
18 those things are predictable adjustments.

19 Q Let me ask you if there can also be a predictable
20 adjustment, assuming the fact that in the unknown voice
21 spectrograms we have a conversational type situation as I am
22 talking to you now and in the unknown set of spectrograms that
23 you compared and I speak like this in as much monotone as I
24 can. What is that going -- what kind of indication will you
25 receive on your spectrograms?

1 A The monotony aspect of it is not reflected in the
2 articulation. That is reflected in another way which we
3 haven't gone into at all, because it might be used in an
4 extremeness to try to determine if a voice were the same. But
5 if the articulatory pattern -- in other words, the
6 pronunciation, enunciation of speech -- if those features
7 were adequate, then you don't need to get into those other
8 matters.

9 Q In other words, if I talk like this or I talk like
10 this, the ear hears it different between monotone and
11 conversational sound, but the spectrogram reveals whether or
12 not my pattern of speaking is the same regardless of the
13 sound?

14 A Yes, whatever you say or how you say it, it will be
15 reflected on this picture.

16 Q All right.

17 A From the analyst's point of view, he will see those
18 differences and account for them if that is necessary, but in
19 the point of identify aspect, he doesn't have to account for
20 anything to get the points of identity. They will still occur
21 in a regular fashion.

22 THE COURT: Let's stop there.

23 MS. GILLES: I have like two more questions and I am
24 through.

25 (NO OMISSIONS)

1 BY MS. GILLES:

2 Q The bottom line analysis on the known voice and the
3 unknown voice in this situation were only made by one single
4 person in the whole wide world?

5 A Exactly.

6 Q Just like fingerprints, it is unique?

7 A Exactly.

8 MS. GILLES: Nothing further.

9 THE COURT: Ladies and gentlemen, we will go to
10 lunch now. Remember my warnings about not discussing the case
11 among yourselves. Please be back in my jury room at 1:10.

12 (Whereupon, the jury was retired, and the noon
13 recess was taken, after which the following
14 proceedings were held, outside the presence
15 and hearing of the jury.)

16 THE COURT: Bring them in.

17 (Whereupon, the jury was returned into open
18 court, and the following proceedings were
19 held, in the presence and hearing of the
20 jury.)

21 THE COURT: Cross-examination, Mr. Glover.

22 CROSS-EXAMINATION

23 BY MR. GLOVER:

24 Q Dr. Truby, what is your current pursuit? What do
25 you do currently?

1 A I am -- I continue to conduct scientific research in
2 various ranges of environmental studies and prenatal and
3 neonatal studies and Voice Print Identification.

4 Q Well, let me ask you this way: Are you currently
5 employed somewhere?

6 A I employ myself.

7 Q You don't have someone you work for then; is that
8 right?

9 A No.

10 Q You are not affiliated with or you don't work for
11 any university?

12 A No.

13 Q All right. When is the last time that you were
14 employed by some institution or university?

15 A For any great length of time, 1977, I suppose.

16 Q All right. Where was that?

17 A L.S.U. That is Louisiana State University Medical
18 School in New Orleans, and simultaneously at L.S.U. in Baton
19 Rouge.

20 Q For what period of time?

21 A A year.

22 Q Okay. And were you on -- were you employed as a
23 professor at that university?

24 A Yes, sir, I was.

25 Q What did you do?

1 A Well, I conducted research and I lectured down at
2 the medical school in New Orleans and did research that had to
3 go with speech sound analysis and the adaptation of computer
4 technology to speech sound analysis in their department that
5 was called Biocommunications, which has to do with
6 communication among animals and people and between animals and
7 people, and at the university proper up at Baton Rouge, on the
8 campus there I taught courses in acoustic phonetics and speech
9 and hearing anatomy and physiology.

10 Q Okay. And you were there a year? And you have not
11 been employed by any university since that time for any great
12 length of time?

13 A No, I have lectures I give for various universities
14 on sort of a regular basis here and there.

15 Q Do you have an office in Miami?

16 A Yes, in my home.

17 Q You have an office in your home?

18 A Uh-huh.

19 Q So you are essentially based out of your home now;
20 is that right?

21 A I also have a laboratory in Michigan which is
22 devoted specifically to these analyses.

23 Q All right. It is your laboratory?

24 A It belongs to two of us.

25 Q Who are you-all?

1 A Lieutenant Smrkovski of the Michigan State Police.
2 It is a private laboratory of his.

3 Q Where is it?

4 A Bolt, Michigan.

5 Q Is it in someone's home?

6 A Yes, it is a home laboratory.

7 Q All right. It is in Lieutenant Smrkovski's home?

8 A Yes.

9 Q All right. And that is your laboratory along with
10 the Lieutenant?

11 A Yes, we do all of our research there.

12 Q Okay. When is the last time you were in that
13 laboratory?

14 A A few weeks ago, I suppose.

15 Q Okay. For what period of time?

16 A Well, I alternate -- I ran back and forth between a
17 case I had in Oshkosh, Wisconsin and a case I had in Fort
18 Lauderdale and a case I had in Miami.

19 Q That necessitated you running back to the
20 laboratory?

21 A Well, it didn't necessitate it, but I did so because
22 I have instrumentation there that I wish to use. I have a
23 computer there I can push stuff around with.

24 Q Do you own part of that stuff?

25 A Yes.

1 Q Along with the Lieutenant?

2 A Yes.

3 Q Okay. He is a policeman?

4 A That is his major occupation, yes.

5 Q Okay. You indicated 1977 on your employment. What
6 about 1976? Where were you employed then?

7 A I was sort of winding up things at the University of
8 Miami. I resigned from the faculty as a full professor with
9 tenure in about 1972, but I continued to do research and kept
10 getting rehired as a research scientist or one thing and
11 another for several years, and I also taught locally there at
12 another university, Florida International, as a professor of
13 anthropology. I did everything I could to get away from the
14 university, but it wasn't easy.

15 Q Okay. What else did you do there in Miami? You
16 have indicated something about the University of Miami. Was
17 there another place where you worked?

18 A Florida International University.

19 Q Did you work anyplace else?

20 A Not to have an employer if that is the direction of
21 your question.

22 Q Okay. You indicated that you resigned from the
23 University of Miami?

24 A That is right.

25 Q Were you asked to resign from the University of

1 Miami?

2 A Asked to resign?

3 Q Yes.

4 A Well, somebody is always asking you to resign from
5 the time you sign on.

6 Q Okay. Isn't it a fact, Dr. Truby, that you were
7 fired from that university for misrepresenting your
8 credentials as having a Ph.D. from a university that you did
9 not?

10 A No, it is not a fact, but I couldn't get it on the
11 record to deny it publicly because I have been accused by
12 people every now and then that don't seem to know what went
13 on.

14 Q Don't seem to know what went on?

15 A Yes.

16 Q And was that at the University of Miami?

17 A That was at the University of Miami.

18 Q You are telling this jury you were not fired from
19 the University for misrepresenting the fact you had a Ph.D.
20 when indeed you did not?

21 A I am telling them precisely that. I am telling them
22 the discussion that came up was whether I had two Ph.D.'s
23 rather than one.

24 Q Do you want to tell us about that?

25 A I would be glad to. In 1954 I left precipitously to

1 teach at the University of Kiel in Germany from Columbia. At
2 that time I had completed all of my doctoral work in
3 linguistics, which I did have an acoustics phonetics
4 laboratory there and did work also from time to time in the
5 phonetics laboratory. But I was full time at Columbia and
6 completed all of my doctoral studies.

7 I had an opportunity to go to Germany. I went over
8 there on a Fulbright travel grant and stayed in Germany for a
9 year or so and taught at the University of Kiel (spelling)
10 K-i-e-l. Then I went up to Stockholm and stayed 8 years in
11 Sweden. During that time -- in the early part of that, I got
12 my doctorate at the University of Lund (spelling) L-u-n-d,
13 which is an old Swedish university, specifically in the field
14 that I wished.

15 When I came back to the United States, the question
16 of the Columbia Doctorate had never come up since I finished
17 the course and all and was examined and I did finish my
18 dissertation successfully and I went to work for the IBM
19 Research Laboratories in California. So there went another 4
20 years or so. Then we started the dolphin translation research
21 program.

22 Q Let me interrupt you here, if I might. My question
23 to you, sir, was were you fired from the University of Miami?

24 A Yes, and I answered that.

25 Q Is your answer under oath that you were not asked to

1 leave that university because you had misrepresented the fact
2 that you had a Ph.D.?

3 A I did not. I had no obligation to leave that
4 university for any reason.

5 Q Was not a committee of professors called there to
6 determine whether or not you had been appropriately fired from
7 that university?

8 A There was not.

9 Q Okay. That is your testimony under oath?

10 A That is my testimony.

11 Q Okay.

12 A But to continue the so-called misrepresentation,
13 when I went to the university -- I didn't go to the University
14 of Miami. When I went to Miami, three of us formed an
15 institute to study a lot of things about communication, and
16 one of the things most popularly picked up on was the dolphin
17 research. Many years went by and suddenly I began to teach at
18 the university also and had a full professorship with tenure
19 and a lot of grants and other things going on. Somebody on
20 the faculty, it must have rubbed them the wrong way and they
21 accused me -- not me -- but they made the accusation that I
22 claimed I had two Ph.D.'s when I only had one. My laboratory
23 inquired of the University of Columbia what the situation was
24 and they not only didn't have a record of my doctorate from
25 them which was prior to the Swedish one, but they didn't --

1 they had me having completed graduate studies at the
2 University of Hawaii where I had never attended and Texas
3 Christian University where I had never attended and Southern
4 California.

5 Q That is what Columbia showed?

6 A That is right.

7 Q I see.

8 A I said, "Well, somebody has been a little careless
9 on their bookkeeping," and that was 20 years ago by now or
10 whatever. But I said, "At any rate, I will proceed to
11 document this doctorate that I earned from the university
12 years ago. I was there under President Eisenhower's
13 administration there -- I mean his Columbia Presidency. So
14 the old chairman of the department was still alive and he
15 executed an affidavit deposition that has been appropriately
16 filed. We got statements and affidavits from professors --
17 from people who are now professors that were students with me
18 and everybody else is dead that had anything to do with it, I
19 guess, since it was now about -- well, '54 -- 32 years ago.

20 The matter still hasn't been settled on paper, but I
21 lost interest in it maybe 20 years ago or whatever. In the
22 meanwhile, I resigned from the University of Miami who didn't
23 think one Ph.D., I guess, was enough for them.

24 Somebody made an accusation and, no, there was no
25 committee appointed. There was no reason to, I am sure. My

1 tenure was good for as long as I wanted to make it good. But
2 I didn't desire to stay anyplace where I was having that kind
3 of flack, and I have done these other things since then as far
4 as organizing the International Association of Voice Print
5 Identification, the World Dolphin Foundation and teaching at
6 other universities like Florida International, L.S.U., the
7 University of Arizona in Tucson, the University of Hawaii, et
8 cetera, et cetera.

9 Q You keep harking back to a body of people called the
10 International something or other.

11 A Association.

12 Q Of Voice Print?

13 A Voice Identification.

14 Q Voice Identification, not Voice Print?

15 A Yes.

16 Q You call it --

17 A The International Association of Voice
18 Identification.

19 Q Okay. Is it your representation to this jury that
20 Voice Print Identification is analogous to fingerprint
21 identification?

22 A In many ways it is, yes.

23 Q Okay. You understand what fingerprints are, don't
24 you? You take an unknown and then a known and then sit down
25 and compare them?

1 A I think I do understand that much.

2 Q Okay. And what you are contending here is that
3 voices are fed through some sort of electronic device and they
4 burn out something on a piece of paper that electronically
5 moves up and down a line; isn't that essentially what you are
6 talking about?

7 A Yes, I think we have introduced the sound
8 spectrograms to the Court.

9 Q Okay. Now, knowing what you know about
10 fingerprints, if you take a fingerprint and you put it down
11 here and you put another one over here and you have two side
12 by side and you are trying to compare them, the fact that that
13 person has had his tonsils removed, would that affect that
14 fingerprint?

15 A Well, not according to my understanding of anatomy,
16 no.

17 Q Would the fact that he had had a tooth pulled affect
18 that fingerprint?

19 A Same answer.

20 Q Would the fact that he made that fingerprint 30 days
21 apart, or those two compared fingerprints, affect that
22 fingerprint, in your judgment?

23 A The only way it might affect it, you can't make two
24 fingerprints identically the same if you are looking at them
25 with an electronic microscope, but you can make the patterns

1 the same.

2 Q Are we using an electronic microscope in the
3 spectrograph?

4 A Almost essentially, yes, in an analagous way, yes.
5 The myriad of details on the sound spectrograph do not in that
6 way coincide with the necessary details to make a fingerprint
7 comparison.

8 Q Okay. You are saying that it is analagous to an
9 electronic microscope?

10 A Not analagous to an electronic microscope, but it is
11 an acoustic microscope, to use a figurative term.

12 Q Okay. You have derived, sir, have you not, on your
13 own as to the points of comparison that you will make on any
14 two graphs that you are looking at or comparing? Did you
15 derive that system determining where to make those points of
16 comparison yourself?

17 A No.

18 Q Who did?

19 A They are derivable because they are apparent on the
20 graph. The signal is self-deriving by putting the signal at
21 the point of interception of a given frequency or given
22 component of frequency, et cetera, et cetera.

23 Q Who in this discipline, if I might refer to it, that
24 you pursue has determined where you decide where you are going
25 to say at what peak that you do this? Who has decided that?

1 You or a group of scientists?

2 A You are attempting to get a complicated cadence.

3 Q You put a dot above a peak and you say, "There is
4 one right there." Who in your group has sat down in writing
5 and said, "There is where you put a dot on one and there is
6 where you put a dot on the other?"

7 A A dot is just my way of doing it. Maybe somebody
8 else might do it the same if I teach them or show them that
9 this is a good way to do it. What is on the graph is a
10 development of literally decades of scientific investigation
11 which show these points simultaneously in time on the
12 spectrogram and they are the points which are representative
13 of the vocal track configurations, essentially. In other
14 words, the resonance chambers of the mouth, oral passage or
15 pharyngeal cavity or whatever, and these correspond very
16 accurately.

17 Q Are you familiar with the Acoustical Society of
18 America?

19 A Yes, I obtained membership in that society about,
20 oh, from about 1948 on, I guess.

21 Q Okay. Do you feel that it is a representative body
22 of people or scientists that pursue the area in which you are
23 interested?

24 A There are a few members of it who are in this area,
25 yes. It is an acoustical society. It has everything to do

1 with acoustics.

2 Q All right. You have talked about acoustics here
3 today, haven't you?

4 A Yes.

5 Q You consider yourself to be some sort of an
6 acoustical expert, don't you?

7 A Yes, sir.

8 Q That society has acoustical experts, doesn't it?

9 A Yes, from various disciplines, yes.

10 Q Okay. Do you think it is a good society?

11 A Well, it is a reputable international society, yes.

12 Q Okay. Are you familiar with the Technical Committee
13 of Speech Communications of the Acoustic Society of America?
14 Are you familiar with a committee report of six scientists in
15 the field of acoustics who were called together and their
16 names are Richard Bolt, Franklin Cooper, Edward Davis, Peter
17 (spelling) D-e-n-e-s-h --

18 A Denish, yes.

19 Q Are you familiar with him?

20 A Yes.

21 Q Okay. And James Pickett and Kenneth Stevens, are
22 you familiar with those folks?

23 A They are all good friends of mine except Richard
24 Bolt. I don't know him that well. I know Dr. Bolt from way
25 back. He is not a close associate.

1 Q Okay. Are you familiar with a report that they made
2 in 1970 that said that the voice print process was still in an
3 experimental stage and the reliability of the conclusions
4 based on data obtained from the process was uncertain?

5 A In 1970, yes.

6 Q Are you familiar with that?

7 A I am familiar with that.

8 Q Okay. Are you further familiar with the fact that
9 they said, "The available results are inadequate to establish
10 the reliability of voice identification by spectrograms. We
11 believe this conclusion is shared by most scientists who are
12 knowledgeable about speech. Hence, many of them are deeply
13 concerned about the use of spectrography as evidence in
14 court"?

15 A In 1970, I am familiar with it, yes.

16 Q Okay. Are you familiar with a report in 1973 from
17 Bolt, Cooper, Davis, Denesh, Pickett and Stevens again
18 addressing that problem?

19 A Yes, and we answered that in publication.

20 Q Okay. And they said, "In light of Tosi" -- Who is
21 Tosi?

22 A Tosi is Professor Oscar Tosi of Michigan State
23 University. He is a full professor of physics and speech
24 sciences and he is one of the founders in 1971 of the
25 International Association of Voice Identification. He is

1 still on our Board of Directors and he has also been on the
2 Certification Committee for Officers ever since about 1974 and
3 so on, although we began doing this in 1971-72, and he is
4 still a professor at Michigan State University working now on
5 the automation of Voice Print Identification. He also served
6 on some of these committees that you are referring to.

7 Q Are you familiar with a report in '73 wherein the
8 authors expressed their concern about certain aspects of the
9 Tosi experiments? I guess he has done a lot of experimenting,
10 hasn't he?

11 A Yes, he had 24,900 spectrograms read by 250 people,
12 I think. I have forgotten those kinds of figures. But it was
13 24,598 spectrograms.

14 Q And the committee said that the Tosi experiments'
15 failure to consider the problems of mimicking or disguising
16 the voices and changes of the voice levels or changes due to
17 stress or other emotional states of the speaker. They
18 expressed further concern, did they not, over the increase in
19 error rates in comparing voice samples taken at different
20 times as well as the increase in error in other circumstances?
21 Are you familiar with that report?

22 A I am, and that is why we answered in publication,
23 because that report was full of errors such as those you have
24 just read.

25 Q You are saying that Eolt, Cooper, Davis, Denesh,

1 Pickett and Stevens all made errors in that regard?

2 A The report, if that was their consensus, which I
3 have always seriously doubted, is in error, yes.

4 Q Let me ask you this question: You have indicated
5 that you have certain interests in and do you consider
6 yourself to be a phonetician?

7 A Yes, I am.

8 Q All right. How many people in the United States, if
9 you know, or you might approximate this, would have Ph.D.'s or
10 doctoral degrees in these areas? Do you have any idea? Could
11 we say thousands?

12 A No, no, not in acoustic phonetics. There might be
13 nobody in the United States.

14 Q Well, I didn't say acoustic phonetics. I am talking
15 about folks that study phonetics.

16 A There are all kinds of people that study lots of
17 things at different levels, but if you want to know about
18 professors of acoustic phonetics -- if you want to know people
19 with doctoral degrees in phonetics, there are about three or
20 four that have gotten their degrees in Europe, which is the
21 only place you can get them. We are not up to that,
22 apparently. Peter Ladefoged, a professor at U.C.L.A., is one
23 and he is a confirmed --

24 Q My question to you was do you know how many people
25 there are that have doctoral degrees in phonetics?

1 A Acoustic phonetics, two or three. Phonetics in
2 general, I wouldn't have any idea.

3 Q Would you say hundreds?

4 A I have no idea.

5 Q So many that you can't say; is that it?

6 A Not necessarily so many, but I don't know whether it
7 is 100, 200, 500. It could be either. I remember when we
8 needed 10,000 more speech pathologists in the country and put
9 out a suggested program for universities to try to train some.

10 Q Are you familiar with an organization in the United
11 States called the National Academy of Sciences?

12 A I am.

13 Q Okay. Are you familiar with a report that the
14 National Academy of Sciences made wherein they formulated a
15 group, I believe, of 45 scientists and denounced this
16 principle that you have brought to this jury today?

17 A That is full of errors. The National Academy of
18 Sciences did not produce such a report. There were not 45
19 people involved. What they did was ask their national
20 research council to appoint a committee and they appointed a
21 committee of about nine people and they issued a report.

22 Q Okay. They are the ones that denounced the process
23 that you are --

24 A No, they didn't. If you will read on page 68 they
25 don't give -- they say emphatically, "We take no position on

1 admissibility."

2 Q "We take no position on admissibility"?

3 A Yes.

4 Q All right. What were they saying, Dr. Truby? Were
5 they saying that it is not developed scientifically?

6 A It can be interpreted two ways scientifically. When
7 scientists say something of this sort, either, A, they don't
8 think the science has progressed far enough to be acceptable
9 or at least them, or, B, as interpreted by other scientists
10 when they are saying, "We don't know enough about it ourselves
11 yet." No self-respecting scientist would say he is too dumb
12 to understand it. He would just say, "We haven't seen enough
13 evidence yet so we can know what we are talking about."

14 Q And that report was made in 1979; isn't that right?

15 A Well, I have got it right here. I will see. '79.

16 Q Okay.

17 A I answered that for the Florida Academy of Sciences
18 in 1979.

19 Q Did the National Academy of Sciences ask you to
20 answer it?

21 A No, but the Florida Academy of Sciences did.

22 Q All right. Who at the Florida Academy of Sciences
23 asked you to answer it?

24 A Pardon?

25 Q Who at the Florida Academy of Sciences asked you to

1 answer it?

2 A Which individual?

3 Q Yes.

4 A I really don't remember. Whoever was the program
5 chairman, I suppose, made the contact.

6 Q Okay.

7 A And whoever presided then, I don't remember. But I
8 have an abstract from that, too, if you would like to concern
9 yourself with it.

10 Q Are you familiar with a study made by Dr. Hollien?
11 Are you familiar with him?

12 A I know his name in the literature and I think I did
13 meet him at the Acoustical Society of America meeting years
14 ago. I know he has been interested in various aspects --
15 specific aspects of this subject.

16 Q Are you familiar with a study that he made where he
17 concluded and he states, "Due to the higher error rates for
18 all absolute identification tasks, it is concluded that given
19 the conditions of this study, accurate identification of
20 speakers by visual comparisons of spectrograms is not
21 possible"?

22 A I don't remember verbatim those are his -- I don't
23 recollect those are his conclusions, but if you read that,
24 that is fine. I don't know the conditions of his study and
25 wouldn't be able to give any opinion on the validity of his

1 remarks without knowing what the conditions of his study were.

2 Q Well, now, you told this jury that you are up to par
3 on all of this business. Wouldn't it add something to your
4 credentials if you read the criticisms of what you profess to
5 the jury today?

6 A Yes. You read my credentials from 1970 and we were
7 up to par enough so we formed the International Association of
8 Voice Identification in 1971 just to make sure such criticisms
9 would not be ever uttered again, and we proceeded to train
10 people and to investigate these areas.

11 Q And what were the figures of what was done --

12 A 34,996 identifications of 250 speakers were
13 attempted by 29 trained examiners then in italics solely on
14 the basis of inspection of sound spectrograms. This task
15 involved contemporary and noncontemporary utterances, that is
16 at the same time or later, in open and closed trials, meaning
17 you know who it is because they were in the room or you didn't
18 know who it is because they may or may not have been in the
19 room and it was uttered in isolation, uttered in a fixed
20 context, and uttered in random context. All of these were
21 answers to criticisms one gets in the scientific field. That
22 is part of the fun of being a scientist. You criticize each
23 other until you don't have anything else left to criticize.

24 Q Are you familiar with the Federal Bureau of
25 Investigation?

1 A I think so.

2 Q Isn't it a fact the Federal Bureau of Investigation
3 has made the statement that it is not proven or sufficiently
4 well authenticated to serve as a reliable basis for expert
5 testimony as to the identity at this time? Are you familiar
6 with that report?

7 A No. Who was the author of that report?

8 Q The F.B.I. issued that statement.

9 A The F.B.I. doesn't write things.

10 Q Oh, they don't?

11 A No, the F.B.I. doesn't. Somebody in the F.B.I.
12 does. Somebody issues a report.

13 Q Let me ask you have you ever seen the F.B.I. attempt
14 to use Voice Print Identification in a Court of Law?

15 A Never.

16 MR. GLOVER: Pass the witness.

17 THE COURT: Anything further?

18 MS. GILLES: Just a couple of things.

19 REDIRECT EXAMINATION

20 BY MS. GILLES:

21 Q Does the F.B.I. use spectrographic identification?

22 A They do on a regular daily basis.

23 Q To eliminate or identify individuals involved in
24 their investigations?

25 A Yes, and even more explicit and specific and

1 detailed examinations than that.

2 Q And you have personal knowledge of that, do you not?

3 A I do.

4 Q Let me ask you this: The Oscar Tosi that we
5 referred to in the studies that were done, that was a
6 federally-funded grant for this issue of reliability, was it
7 not?

8 A An L.E.A. grant, which means Law Enforcement Agency
9 grant.

10 Q Through the federal government?

11 A The federal government, Department of Treasury.

12 MS. GILLES: I have no further questions.

13 RE-CROSS EXAMINATION

14 BY MR. CLOVER:

15 Q Let me ask you this in response to your remarks
16 about the Federal Bureau of Investigation: Have you ever been
17 present in an F.B.I. office where agents were conducting
18 spectrographic interviews?

19 A In their laboratory, yes.

20 Q Have you ever been present when they did it in an
21 investigative stage?

22 A I am not sure.

23 MR. CLOVER: Pass the witness.

24 MS. GILLES: I have no further questions.

25 THE COURT: You may step down. Call your next

1 witness, Counsel.

2 MS. GILLES: Your Honor and members of the jury, the
3 State rests its case in chief.

4 THE COURT: The State of Texas rests.

5 MR. GLOVER: May I have a motion for the Court?

6 THE COURT: Yes. Ladies and gentlemen, go back in
7 the jury room, please, for a few minutes.

8 (Whereupon, the jury was retired, after which
9 the following proceedings were held, outside
10 the presence and hearing of the jury.)

11 THE COURT: What is your motion, Mr. Glover?

12 MR. GLOVER: Comes now the Defendant and moves the
13 Court to instruct the jury to return a verdict in this case of
14 not guilty in that the evidence is insufficient to support a
15 conviction.

16 THE COURT: Overruled.

17 MR. GLOVER: That is it.

18 THE COURT: Are you prepared to call your first
19 witness?

20 MR. GLOVER: Yes.

21 THE COURT: Bring them in.

22 (Whereupon, the jury was returned into open
23 court, and the following proceedings were
24 held, in the presence and hearing of the
25 jury.)

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

THE COURT: The State of Texas rests, Mr. Glover.

MR. GLOVER: Call Dr. Ritterman.

(Continued in next volume.)